

1 UNITED STATES DISTRICT COURT  
 2 SOUTHERN DISTRICT OF INDIANA  
 INDIANAPOLIS DIVISION

3 IN RE RECOMBINANT DNA TECHNOLOGY )  
 PATENT AND CONTRACT LITIGATION )  
 4 ) MDL Docket No. 912  
 THE REGENTS OF THE UNIVERSITY OF )  
 5 CALIFORNIA )  
 )  
 6 Plaintiff, )  
 )  
 7 -vs- ) CAUSE NO. IP 92-224-C D/G  
 ) Indianapolis, Indiana  
 ) August 30, 1995  
 8 ELI LILLY AND COMPANY, )  
 )  
 9 Defendant. )

10 Before the

11 HONORABLE S. HUGH DILLIN

12 TRANSCRIPT OF PROCEEDINGS AT TRIAL

13 APPEARANCES:

14 For the Plaintiff: Arthur I. Neustadt  
 15 Jean-Paul Lavalleye  
 16 Marc R. Labgold  
 17 William J. Healey  
 Amy Levinson  
 18 Kevin Bell  
 Susan B. Tabler

19 For the Defendant: Donald R. Dunner  
 20 Charles E. Lipsey  
 Amy E. Hamilton  
 21 John C. Jenkins  
 Jeffrey Karceski  
 22 Jan Carroll

23 Court Reporter: Patricia A. Cline, CM  
 Maria Wainwright, RPR

24 PROCEEDINGS TAKEN BY MACHINE SHORTHAND  
 25 COMPUTER-AIDED TRANSCRIPT

1 THE COURT: All right. We can do that. Speaking  
2 of corrections, it showed the ruling of the Court made a  
3 little while ago to show that each of the exhibits "is"  
4 received in evidence rather than "are".

5 And by the way, I can't see anything on my screen  
6 here. Am I supposed to be able to? Anybody know?

7 I have done without one for several years.

8 (Discussion off record.)

9 MR. LIPSEY: Your Honor, it has been pointed out  
10 to me there are two items I should clarify about the  
11 understanding on the paper record to be submitted by next  
12 Wednesday. We have agreed with Mr. Neustadt that we will  
13 not be designating any further deposition testimony from  
14 the U.C. inventors in that process. And also, when I  
15 referred to previously identified documentary evidence, I  
16 was referring to the documents that were on Lilly's exhibit  
17 list, Lilly's pretrial exhibit list, which we will call and  
18 offer a subset of subject to objections by U.C.

19 THE COURT: Amazing. Thank you. All right. You  
20 may proceed.

21 MR. NEUSTADT: Thank you, Your Honor.

22 CROSS-EXAMINATION (continuing)

23 BY MR. NEUSTADT:

24 Q. Good morning, Dr. Gilbert.

25 A. Good morning.

1 Q. You mentioned yesterday a procedure identified as the  
2 Maxam-Gilbert sequencing, and I think you also identified  
3 Mr. Maxam or Dr. Maxam as your technician. Does the fact  
4 that his name precedes your name in the identification of  
5 that sequencing indicate the nature of his contribution to  
6 that sequencing?

7 A. Not particularly -- not fully. The order of the names  
8 on the side of the paper is very often quite variable and  
9 determined by many principals. I generally put my name  
10 last on many papers I am involved. In the case of  
11 sequencing, Allen Maxam was my technician at the time. He  
12 made a unique contribution to the sequencing. He  
13 discovered the use of salt to distinguish the Cs and Ts,  
14 which was a very late but very critical step in developing  
15 the method. And I thought it was appropriate to honor him  
16 by that, in that way by putting his name first.

17 Q. And I think you mentioned yesterday that you shared  
18 your Nobel Prize with Dr. Sanger, and I think you also  
19 mentioned that Dr. Sanger also developed a procedure for  
20 sequencing. And my question to you is, has Dr. Sanger's  
21 procedure for sequencing largely supplanted the  
22 Maxam-Gilbert sequencing technique in this country?

23 A. That's quite correct as of today, as of the time we  
24 were discussing. In the earlier sequencing the two methods  
25 were used almost interchangeably -- not interchangeably but

1 about equally. At the moment the chemical method is used  
2 only if people wish to be unusually precise. Most of the  
3 sequencing today is done by automatic machines which have  
4 mostly been adjusted, mostly devised to use the particular  
5 patterns developed by the Sanger sequencing method.

6 Q. Do you know a Wes Brown?

7 A. I don't believe so.

8 Q. Would it be safe to assume that you haven't read his  
9 deposition in this case?

10 A. I don't remember having done so.

11 Q. Same question with respect to a Barbara Cordell?

12 A. I don't remember anything about that particularly.

13 Q. Same question with respect to a Pat Zambriksy?

14 A. That I am quite sure I have never seen.

15 Q. And just two more: A Fran DeNoto?

16 A. I remember seeing the name in some connection, but I  
17 certainly don't think I saw a deposition.

18 Q. And the last one is Ed Tischer, T-I-S-C-H-E-R.

19 A. I've read Ed Tischer's deposition and examined his  
20 notebooks.

21 Q. Now, you mentioned yesterday that the first  
22 biosynthetic human insulin was one made by Genentech, and I  
23 think that was the A and B chain; is that correct?

24 A. Insulin is always the A and B chain. The first  
25 biosynthetic human insulin was made by Genentech.

1 Q. And that didn't have anything to do with proinsulin,  
2 did it?

3 A. No.

4 Q. Let me show you what's previously been admitted into  
5 evidence as Defendant's Exhibit 3335, and I'll bring it up  
6 on the screen for you. This is a June 1, 1981 document  
7 from a Dr. Johnson of Lilly to the file of Dr. Herr and Dr.  
8 Pettinga. I would like to show you the matter that has  
9 been highlighted on the screen in front of you. "This memo  
10 is to document the rationale behind our proposal to have a  
11 discussion of the production of human proinsulin, as well  
12 as insulin via the proinsulin mode." I'll leave out the  
13 remainder of that sentence.

14 And then under the factors, number one, "Many of our  
15 competitors or potential competitors in this area are  
16 conducting propaganda campaigns, suggesting that they will  
17 produce insulin by the real method, i.e., via proinsulin,  
18 and not the sloppy A and B chain combination."

19 Are you aware of the distinction between the two  
20 methods, one being the proinsulin real method as referred  
21 to here and the other being the sloppy A and B chain  
22 combination as referred to here?

23 MR. LIPSEY: Objection, lack of foundation. No  
24 indication the witness ever saw this document or knows what  
25 the author intended or was referring to.

1 THE COURT: Well, wholly apart from the document,  
2 the question is are you aware of the difference between the  
3 two methods. So he may answer that question.

4 A. Yes, as a scientist I am aware of methods to construct  
5 insulin. One of the methods involved is the addition of  
6 the A and B chain separately, and is a long history of  
7 protein chemistry, detailed protein chemistry in that  
8 method. Another method involves an imitation of a natural  
9 method in which the insulin product is cut out of a  
10 proinsulin molecule by a set of enzymes in a test tube.

11 Q. Are you aware of any differences in the time it takes  
12 to make insulin under the A and B chain method as  
13 contrasted to via the proinsulin route?

14 A. Actually not particularly. There is no -- that's a  
15 production question, not a scientific question.

16 Q. Are you aware of the number of steps that are involved  
17 in each process?

18 A. That's a production question. The steps depend on the  
19 details of the production process, the number of steps of  
20 purification, things like that. And I have no -- I have  
21 general knowledge about production processes, but I have no  
22 knowledge about that level of detail about what would be  
23 required in the particular unique production process to be  
24 used by, for example, Lilly.

25 Q. Let me bring up on the monitor for you Plaintiff's

1 Exhibit 270. Were you aware that the chain process  
2 involves 79 steps and four months and that the pro process  
3 in contrast involved 29 steps and six weeks?

4 A. I have no knowledge that any statement on the time on  
5 that is true.

6 Q. I believe you mentioned yesterday that Genentech was  
7 the first to make the A and B chain process for  
8 biosynthetic human insulin. Are you aware of the fact that  
9 it was U.C. who first made proinsulin in collaboration with  
10 their research agreement with Lilly?

11 MR. LIPSEY: Objection, Your Honor. Assumes a  
12 fact not in evidence that I believe has not been  
13 established by the testimony of any witness.

14 THE COURT: I'll sustain the objection.

15 Q. Let my bring up on the monitor for you Defendant's  
16 Exhibit 3254, which has previously been admitted into  
17 evidence.

18 You will see this is the affidavit of J. Paul Burnett,  
19 who is the executive director of the Lilly Research  
20 Laboratories. And you also will see this affidavit was  
21 submitted in support of a memorandum that was filed in this  
22 Court in this case. I'd like to direct your attention to  
23 paragraph 11 which you have on the monitor before you.

24 It says, "Although Genentech succeeded in chemically  
25 synthesizing pieces of the insulin gene (known as the A and

1 B chains), other researchers, including those of Lilly,  
2 continue their efforts to isolate naturally occurring gene  
3 sequences coding for the human insulin gene. Scientists at  
4 the University of California, San Francisco (U.C.S.F.), in  
5 collaboration with Lilly, succeeded in 1978." Does that  
6 refresh your -- let me say did you have any knowledge of  
7 what is referred to here?

8 A. I have certain types of knowledge, but this doesn't  
9 particularly refresh my memory about it.

10 Q. Do you have any reason to dispute this statement?

11 A. This statement is in principal supposed to pertain to  
12 the period after Genentech announced the synthesis of human  
13 insulin?

14 Q. Pardon me? I'm sorry. I didn't understand.

15 A. I find this statement as it's presented confusing  
16 because it pertains to be about the period after the  
17 synthesis of human insulin, which would have been late  
18 1978, except it seems to end with a date in 1978. So I am  
19 not quite sure what the -- what's being pointed to here.

20 Q. Are you aware that the first successful production of  
21 human proinsulin was made by U.C. in collaboration, in  
22 connection with its research agreement with Lilly?

23 MR. LIPSEY: Objection, lack of foundation, Your  
24 Honor. That fact has not been proven in the evidence  
25 presented in this Court.



1 THE COURT: Sustain the objection.

2 Q. Let me direct your attention to Defendant's Exhibit  
3 3255, which has been admitted into evidence. This is the  
4 affidavit of Nancy G. Mayne, and she is an associate senior  
5 biochemist at Eli Lilly. I'd like to direct your attention  
6 to paragraph 9.

7 A. May I have the document?

8 Q. I think you may have to read this one from the monitor.  
9 Are you able to do that?

10 MR. LIPSEY: Your Honor, that precludes the  
11 witness from seeing the context of the paragraph. Surely  
12 somewhere in this courtroom there's a copy of the paper.

13 A. I think it's inappropriate without a copy of the paper.

14 Q. I can give him my copy.

15 A. What is the question?

16 Q. Let me direct your attention to paragraph 9, which  
17 reads, "Lilly's scientists, in collaboration with the  
18 University of California, San Francisco, constructed a  
19 microorganism capable of producing proinsulin before  
20 Genentech provided Lilly with such a microorganism." My  
21 question is, were you aware of that fact prior to giving  
22 your testimony?

23 A. I have no knowledge of that subject.

24 MR. NEUSTADT: I have no further questions at this  
25 time, Your Honor.

1 MR. LIPSEY: We have no further questions at this  
2 time, Your Honor.

3 THE COURT: That's all. Thank you.

4 THE WITNESS: Thank you.

5 MR. LIPSEY: Lilly would like to call as its next  
6 witness Dr. Donald F. Steiner.

7 DEFENDANT'S WITNESS, DONALD F. STEINER, SWORN

8 DIRECT EXAMINATION

9 BY MR. LIPSEY:

10 Q. Good morning. Would you state your full name and  
11 residence address for the record, please.

12 A. Donald F. Steiner, Apartment 2508, 2626 Lakeview  
13 Avenue, Chicago, Illinois.

14 Q. You should have a book of exhibits before you. Can you  
15 turn to Exhibit 3595, Defendant's Exhibit 3595, and tell us  
16 what that is?

17 A. That is a copy of my curriculum vita and bibliography.

18 MR. LIPSEY: Offer Exhibit 3595.

19 THE COURT: It will be received.

20 MR. NEUSTADT: No objection.

21 (Defendant's Exhibit(s) 3595 received in evidence.)

22 Q. Can you briefly describe your current position?

23 A. My current position is professor in the University of  
24 Chicago. I have the title of A.N. Pritzker distinguished  
25 service professor of biochemistry and molecular biology and