

COPY

UNITED STATES DISTRICT COURT

NORTHERN DISTRICT OF CALIFORNIA

BEFORE THE HONORABLE MARILYN HALL PATEL, JUDGE

E.I. DU PONT DE NEMOURS & CO.,)

PLAINTIFF,)

VS.)

NO. C 89-2860 MHP

CETUS CORPORATION, A DELAWARE)
CORPORATION,)

DEFENDANT.)

SAN FRANCISCO, CALIFORNIA
WEDNESDAY, FEBRUARY 13, 1991

APPEARANCES:

FOR PLAINTIFF:

ROTHWELL, FIGG, ERNST & KURZ
1700 K STREET, N.W.
SUITE 800
WASHINGTON, D.C. 20006

BY: E. ANTHONY FIGG, ESQ.
CELINE M. JIMINEZ, ESQ.
RAYMOND A. KURZ, ESQ.
BART G. NEWLAND, ESQ.

E.I. DU PONT DE NEMOURS & CO., INC.
LEGAL DEPARTMENT
WILMINGTON, DELAWARE 19898

BY: GEORGE A. FRANK, ESQ
ROSEANNE R. DUFFY, ESQ.

(APPEARANCES CONTINUED ON NEXT PAGE)

REPORTED BY: CANDACE L. FRANCIS, CSR, CP, CM
JAMES YEOMANS, CSR
OFFICIAL REPORTERS, USDC

COMPUTERIZED TRANSCRIPTION BY XSCRIBE

APPEARANCES (CONTINUED):

FOR PLAINTIFF
(CONTINUED):

PILLSBURY, MADISON & SUTRO
225 BUSH STREET
BOX 7880
SAN FRANCISCO, CALIFORNIA 94104

BY: CLEMENT GLENN, ESQ.

FOR DEFENDANT:

MC CUTCHEN, DOYLE, BROWN & ENERSEN
THREE EMBARCADERO CENTER
SAN FRANCISCO, CALIFORNIA 94111

BY: LYNN H. PASAHOW, ESQ.
JAMES B. LEWIS, ESQ.
NINA SREJOVIC, ESQ.

CETUS CORPORATION
1400-53RD STREET
EMERYVILLE, CALIFORNIA 94608

BY: PETER D. STAPLE, ESQ.

I N D E X

	<u>PAGE</u>	<u>VOL.</u>
<u>DEFENDANT'S WITNESSES</u>		
<u>ANDERSON, W. FRENCH</u>		
DIRECT EXAMINATION BY MR. PASAHOW	2517	17
CROSS-EXAMINATION BY MR. FIGG	2546	17
REDIRECT EXAMINATION BY MR. PASAHOW	2573	17
RECROSS-EXAMINATION BY MR. FIGG	2575	17
<u>ERLICH, HENRY ANTHONY</u>		
DIRECT EXAMINATION BY MR. PASAHOW	2586	17
CROSS-EXAMINATION BY MR.		

<u>PLAINTIFF'S EXHIBITS</u>	<u>IDEN</u>	<u>EVID</u>	<u>VOL.</u>
A-195	2656	2699	17
A-196	2691	2700	17

<u>DEFENDANT'S EXHIBITS</u>	<u>IDEN</u>	<u>EVID</u>	<u>VOL.</u>
B-166		2651	17
B-167		2651	17
B-248		2699	17
B-263		2516	17
B-267		2516	17
B-268		2516	17
B-269		2516	17
B-272		2650	17
B-273		2650	17
B-274		2650	17
B-280		2586	17
B-281		2651	17
B-282	2650		17

1 WEDNESDAY, FEBRUARY 13, 1991

8:12 A.M.

2
3 (OPEN COURT, JURY PRESENT:)

4 THE COURT: GOOD MORNING, LADIES AND GENTLEMEN.

5 THE JURORS: GOOD MORNING.

6 THE COURT: GOOD MORNING, COUNSEL.

7 MR. FIGG: GOOD MORNING, YOUR HONOR.

8 MR. PASAHOW: GOOD MORNING, YOUR HONOR.

9 MR. LEWIS: GOOD MORNING, YOUR HONOR.

10 THE COURT: ARE WE READY TO COMMENCE WITH OUR NEXT
11 WITNESS?

12 MR. LEWIS: IF I MIGHT CLEAN UP ONE ITEM OF BUSINESS:

13 MR. FIGG HAS TOLD ME HE HAS NO FURTHER
14 CROSS-EXAMINATION OF DR. DAHLBERG, AND THAT BEING SO, I'D LIKE
15 TO MOVE INTO EVIDENCE THE EXHIBITS, HIS TABLES AND CHARTS.

16 THEY'RE EXHIBITS B-263, 264, 265, 267, 268, AND 269.

17 MR. FIGG: NO OBJECTION, YOUR HONOR.

18 THE COURT: THEY ARE ADMITTED, THEN.

19 MR. LEWIS: THANK YOU.

20 THE COURT: THANK YOU.

21 (DEFENDANT'S EXHIBITS B-263, B-264,
22 B-265, B-266, B-267, B-268 &
23 B-269 RECEIVED IN EVIDENCE)

24 MR. PASAHOW: YOUR HONOR, OUR NEXT WITNESS IS DR.

25 FRENCH ANDERSON.

1 THE COURT: YES.

2 THE CLERK: PLEASE RAISE YOUR RIGHT HAND.

3 W. FRENCH ANDERSON, DEFENDANT'S WITNESS, SWORN

4 THE CLERK: PLEASE TAKE THE STAND.

5 PLEASE STATE YOUR FULL NAME AND SPELL YOUR LAST NAME
6 FOR THE COURT.

7 THE WITNESS: YES. DR. W. FRENCH ANDERSON, SPELLED
8 A-N-D-E-R-S-O-N.

9 THE COURT: YOU MAY PROCEED.

10 MR. PASAHOW: THANK YOU, YOUR HONOR.

11 DIRECT EXAMINATION

12 BY MR. PASAHOW:

13 Q. DR. ANDERSON, YOU'RE A MEDICAL DOCTOR?

14 A. YES.

15 Q. AND YOU WORK AT THE UNITED STATES GOVERNMENT'S NATIONAL
16 INSTITUTES OF HEALTH; IS THAT CORRECT?

17 A. YES, THAT IS CORRECT.

18 Q. WHAT DO YOU DO THERE?

19 A. I AM A BRANCH CHIEF, WHICH MEANS I RUN A LABORATORY AND I AM
20 AN ADMITTING PHYSICIAN ON THE CLINICAL SERVICE.

21 Q. WHAT TYPE OF LABORATORY DO YOU RUN?

22 A. THE NAME OF IT IS THE MOLECULAR HEMATOLOGY BRANCH. IT WAS
23 CREATED BASICALLY TO STUDY AND ATTEMPT TO DEVELOP TREATMENTS FOR
24 GENETIC DISEASES, AND SPECIFICALLY DISEASES OF THE BLOOD.

25 THAT'S THE REASON IT'S CALLED HEMATOLOGY.

1 Q. IS ~~ADA DEFICIENCY~~ ONE OF THOSE DISEASES?

2 A. ADA DEFICIENCY IS ONE OF THE DISEASES WE ARE ATTEMPTING NOW
3 TO TREAT, YES.

4 Q. WHAT IS ADA DEFICIENCY?

5 A. ADA DEFICIENCY IS A GENETIC DISEASE. IT IS A DISEASE WHERE
6 THE CHILDREN ARE BORN WITHOUT A NORMAL IMMUNE SYSTEM. WHAT THAT
7 MEANS IS THAT THESE CHILDREN ARE SEVERELY AFFECTED BY THE SAME
8 KINDS OF COLDS AND LITTLE INFECTIONS THAT YOUR CHILD OR MY CHILD
9 MIGHT HAVE SOME SNIFFLES OR . . . OR A LITTLE COLD. SUCH LITTLE
10 INFECTIONS CAN KILL THESE CHILDREN.

11 MOST OF THE SEVERELY INFECTED DIE IN THE FIRST YEAR OF
12 LIFE; MOST OTHERS BY THE SECOND YEAR OF LIFE. THOSE THAT AREN'T
13 AS SEVERELY AFFECTED CAN SURVIVE UNTIL PERHAPS THEIR EARLY
14 TEENS.

15 BUT THEY ARE VERY AFFECTED. THEY HAVE A FAILURE TO
16 THRIVE; THEY HAVE MANY INFECTIONS; THEY'RE IN THE HOSPITAL.
17 THEY GET INJECTIONS ALL THE TIME. NEEDLES FOR THESE KIDS IS --
18 IS PART OF THEIR WAY OF LIFE.

19 Q. HOW IS ADA DEFICIENCY TRADITIONALLY TREATED?

20 A. THE ONLY SUCCESSFUL TREATMENT HAS BEEN A MATCH BONE MARROW
21 TRANSPLANTATION, WHERE A -- BONE MARROW IS TAKEN FROM A -- A
22 MATCHED DONOR, A BROTHER OR SISTER, AND THEN GIVEN TO -- GIVEN
23 TO THE CHILD, AND THIS -- THIS CAN BE CURATIVE.

24 ABOUT 30 -- ONLY ABOUT 30 PERCENT OF CHILDREN HAVE A
25 MATCHED DONOR. THE REST OF THEM THERE REALLY IS NO GOOD

1 TREATMENT.

2 ONE OF THE THINGS THAT CAN BE DONE IS TO KEEP THEM IN A
3 STERILE ENVIRONMENT. MANY OF YOU MIGHT REMEMBER DAVID THE
4 BUBBLE BOY IN HOUSTON WHO LIVED IN A STERILE BUBBLE FOR THE 11
5 YEARS OF HIS LIFE. THAT REALLY ISN'T DONE BECAUSE OF ENORMOUS
6 PSYCHOLOGICAL STRESSES THAT THAT PUTS ON THE CHILD.

7 BUT ONE CAN DO VARIOUS THINGS: GIVE IMMUNOGLOBULIN
8 INJECTIONS; CAN GIVE A DRUG LIKE PEG '88 (PHONETIC), WHICH IS A
9 WEEKLY INJECTION AND A VERY, VERY PAINFUL INJECTION. AND THESE
10 CERTAINLY ARE VERY HELPFUL FOR -- FOR SOME OF THE CHILDREN, BUT
11 BASICALLY WITHOUT A BONE MARROW TRANSPLANTATION, THESE CHILDREN
12 ARE ALWAYS AT RISK FOR SUDDEN LETHAL INFECTION.

13 Q. NOW, I UNDERSTAND YOU'RE WORKING ON A NEW TREATMENT FOR
14 DISEASES SUCH AS ADA DEFICIENCY.

15 A. YES. I HAVE . . . BEGAN REALLY 22 YEARS AGO, AND FINALLY
16 JUST THIS LAST YEAR HAVE FINALLY BEEN ABLE TO DEVELOP A THERAPY
17 CALLED GENE THERAPY.

18 I DON'T KNOW HOW MANY OF YOU MIGHT HAVE HEARD ABOUT IT,
19 BUT . . . WE ALL HAVE GENES, AND IF A GENE IS DEFECTIVE, IT CAN
20 PRODUCE A DISEASE. THERE ARE MANY COMMON ONES. SICKLE CELL
21 ANEMIA IS A GENETIC DISEASE; BETA-THALASSEMIA -- IN FACT, THESE
22 WERE THE DISEASES I CREATED THE HEMATOLOGY SERVICE OF THE HEART
23 INSTITUTE BACK IN 1968 TO -- TO TREAT -- CYSTIC FIBROSIS;
24 TAY-SACHS; DIABETES; VARIOUS KINDS OF MENTAL DISEASES AND SO ON.

25 WHAT THESE ARE CAUSED BY IS -- IS A . . . A DEFECT IN

1 THE GENE. AND YOU'VE SEEN HERE THE BASIS OF DNA AND THE A'S AND
2 T'S. A SINGLE BASE BEING DEFECTIVE IN A GENE CAN PRODUCE SICKLE
3 CELL ANEMIA, CAN PRODUCE HEMOPHILIA, OR CAN PRODUCE ADA
4 DEFICIENCY.

5 AND SO WHAT WE HAVE DEVELOPED OVER THE LAST 20 YEARS IS
6 A PROCEDURE WHERE WE CAN TAKE A NORMAL GENE, IN THIS CASE AN ADA
7 GENE, PUT IT INTO THE CELLS OF THE PATIENT, GIVE THE -- TAKE
8 THE -- TAKE THE BLOOD CELLS FROM THE PATIENT, PUT THE GENE INTO
9 THE BLOOD CELLS, AND PUT THOSE CORRECTED CELLS BACK INTO THE
10 PATIENT.

11 AND THAT WAS DONE FOR THE FIRST TIME SEPTEMBER 14TH,
12 1990, AT THE NIH, IN A LITTLE FOUR-YEAR-OLD GIRL.

13 Q. COULD I GET YOU TO DESCRIBE YOUR EDUCATIONAL BACKGROUND.

14 A. I WAS -- GOT MY UNDERGRADUATE DEGREE AT HARVARD COLLEGE,
15 WHERE I WORKED WITH PAUL DOTY ON THE STRUCTURE OF DNA, AND ON
16 GENE TRANSFER AND GENE EXPRESSION IN BACTERIA. THAT WAS -- I
17 GUESS I GRADUATED IN 1958.

18 I THEN SPENT TWO YEARS WITH -- WITH FRANCES CRICK AT
19 THE MRC LABORATORY AT THE CAMBRIDGE, ENGLAND.

20 Q. THAT'S FRANCIS CRICK WHO WORKED WITH DR. WATSON?

21 A. YES. YES, THIS IS THE CRICK OF WATSON AND CRICK.

22 THEN I RETURNED TO HARVARD MEDICAL SCHOOL WITH ADVANCE
23 STANDING, GOT MY M.D. DEGREE IN '63, AND AT THE SAME TIME WORKED
24 IN -- IN PAUL DOTY'S LABORATORY IN THE AREA OF DNA
25 HYBRIDIZATION; TOOK AN INTERNSHIP IN PEDIATRIC MEDICINE AT

2
1 BOSTON CHILDREN'S HOSPITAL, WHERE I PERSONALLY CONCENTRATED IN
2 BLOOD DISEASES OF CHILDREN; AND THEN SPENT ONE YEAR AS A
3 POST-DOC AT HARVARD MEDICAL SCHOOL; AND THEN JOINED MARSHALL
4 NIRENBERG AT THE NATIONAL INSTITUTES OF HEALTH TO HELP WITH THE
5 FINAL DECIPHERMENT OF THE GENETIC CODE.

6 Q. NOW, YOU MENTIONED YOU WERE DOING HYBRIDIZATION WORK WITH
7 DR. DOTY AT HARVARD DURING YOUR TIME IN MEDICAL SCHOOL.

8 WHAT KINDS OF THINGS WERE YOU DOING?

9 A. THE . . . THE WHOLE CONCEPT OF DNA BEING A DOUBLE STRAND AND
10 IT COMING APART AND COMING BACK TOGETHER, THAT PHENOMENON, NOT
11 THE -- THE STRUCTURE OF DNA WAS DISCOVERED BY WATSON AND CRICK
12 IN '53, BUT THIS COMING APART AND COMING BACK TOGETHER, THE
13 REALIZATION THAT THAT HAPPENS WITH DNA WAS DISCOVERED IN PAUL
14 DOTY'S LABORATORY IN '59 AND '60.

15 I HAD BEEN IN DOTY'S LABORATORY AS A STUDENT UP TO '58,
16 SPENT TWO YEARS WITH CRICK GETTING A -- A MASTER'S DEGREE AT
17 CAMBRIDGE, AND THEN CAME BACK TO DOTY. SO THAT DISCOVERY WAS
18 MADE WHILE I WAS IN ENGLAND.

19 BUT AS SOON AS I CAME BACK, I JOINED IN -- AS A STUDENT
20 NOW, I JOINED IN IN THOSE EARLY STUDIES, UNDERSTANDING THE --
21 THE PHYSICAL CHEMISTRY OF DNA HYBRIDIZATION AND RNA
22 HYBRIDIZATION, SECONDARY, TERTIARY STRUCTURE, ALL OF THE
23 VARIOUS -- THE THERMODYNAMICS, THE STATISTICAL ANALYSIS OF WHAT
24 HAPPENS WITH MOLECULES, ALL OF THAT INITIAL THEORETICAL WORK
25 THAT PROVIDED A BASIS FOR THE UNDERSTANDING OF DNA

2
1 HYBRIDIZATION. FOR -- FOR EXAMPLE, THAT A G-C PAIR IS STRONGER
2 THAN AN A-T.

3 THAT WAS ALL EARLY WORK DONE IN '60, '61, '62, '63.
4 AND LET ME SAY, I WAS A STUDENT AT THE TIME. I WAS LEARNING. I
5 WAS NOT MAKING -- MAKING THE CONTRIBUTIONS TO THAT.

6 Q. NOW, YOU THEN WENT ON AND DID A POST-DOCTORAL FELLOWSHIP
7 WITH DR. NIRENBERG?

8 A. YES. THAT WAS MY SECOND -- SECOND POST-DOC WAS AT THE . . .
9 THE FIRST ONE WAS AT HARVARD MEDICAL SCHOOL. I THEN JOINED
10 MARSHALL NIRENBERG AT NIH IN 1965, YES.

11 Q. AND YOU SAY YOU WERE WORKING ON DECIPHERING THE GENETIC
12 CODE.

13 A. YES.

14 Q. WHAT DID THAT WORK CONSIST OF?

15 A. THE GENETIC CODE IS BASICALLY THE . . . THE ALPHABET THAT
16 OUR CELLS USE. THE ENGLISH LANGUAGE, AS YOU KNOW, HAS 26
17 LETTERS. A COMPUTER HAS TWO: PLUS/MINUS, ON/OFF. AND IN THAT
18 ARRAY OF PLUS/MINUS, MINUS/PLUS, YOU CAN GET A GREAT DEAL OF
19 INFORMATION.

20 DNA IS ALSO A LINEAR SEQUENCE, BUT IT HAS FOUR LETTERS,
21 A, T, G AND C. AND THOSE FOUR LOWERS CAN BE COMBINED THREE AT A
22 TIME INTO CODE WORDS, AND EACH OF THOSE CODE WORDS CARRIES THE
23 INFORMATION FOR ONE OF THE BUILDING BLOCKS OF A PROTEIN, CALLED
24 AN AMINO ACID.

25 SO IF YOU HAVE FOUR THINGS TAKEN THREE AT A TIME, YOU

2 1 END UP WITH 64, AND OF THOSE 64 CODE WORDS, 61 OF THEM REPRESENT
3 AN AMINO ACID, A BUILDING BLOCK. THE OTHER THREE ARE -- ARE --
4 ARE CONTROL WORDS: START, STOP.

5 Q. AND WHAT WAS YOUR ROLE IN CONNECTION WITH THIS?

6 A. OH, MY ROLE IN CONNECTION WITH THIS, I JOINED THE -- THE
7 NIRENBERG LAB AFTER ABOUT 90 PERCENT OF THE ASSIGNMENTS HAD
8 TAKEN PLACE, BUT THOSE LAST -- THOSE LAST FEW WERE REAL
9 PROBLEMS, AND SO I PARTICIPATED VERY ACTIVELY IN -- IN MAKING
10 THE OLIGONUCLEOTIDES THAT WERE INVOLVED IN THE FINAL, FINAL
11 CHARACTERIZATION AND HELPED WITH THE -- THE FINAL ASSIGNMENTS.

12 THEN, IN RETURN FOR THAT, I WAS HONORED BY DR.
13 NIRENBERG TO MAKE THE FIRST PUBLIC PRESENTATION OF THE FINAL
14 GENETIC CODE, AND THAT WAS AT THE FEDERATION MEETINGS IN ABOUT
15 APRIL OF 1966, WHERE THE -- THE FIRST TIME THAT -- AT A PUBLIC
16 SCIENTIFIC MEETING THE FINAL CODE, AND I WAS HONORED TO BE ABLE
17 TO DO THAT.

18 Q. COULD YOU GIVE US NOW AN ABBREVIATED SUMMARY OF YOUR WORK AT
19 THE NIH FROM THE TIME YOU WERE IN DR. NIRENBERG'S LAB.

20 A. YOU WANT ME TO TRY TO SUMMARIZE MY WHOLE CAREER IN THREE OR
21 FOUR MINUTES?

22 Q. NOT YOUR WHOLE CAREER, BUT THE HIGHLIGHTS PERHAPS.

23 A. OKAY. ALL RIGHT.

24 (PAUSE IN PROCEEDINGS)

25 THE WITNESS: IN WORKING WITH DR. NIRENBERG, AND HAVING
. . . FINISHED DECIPHERING THE CODE -- AND THIS WAS SOMETHING

3

1 THAT DR. NIRENBERG AND DR. KHORANA, WORKING SEPARATELY, BOTH WON
2 THE NOBEL PRIZE FOR SEVERAL YEARS LATER -- MY FEELING WAS THAT
3 THE TIME WAS RIGHT TO TRY TO APPLY ALL OF THIS BASIC MOLECULAR
4 BIOLOGY KNOWLEDGE TO THE STUDY OF HUMAN DISEASE, AND
5 SPECIFICALLY GENETIC DISEASE, AND INITIALLY BETA-THALASSEMIA AND
6 SICKLE CELL ANEMIA.

7 SO I WAS GIVEN MY OWN INDEPENDENT LAB, AND AT THE SAME
8 TIME, I CREATED THE HEMATOLOGY SERVICE OF WHAT WAS THEN THE
9 NATIONAL HEART INSTITUTE, NOW THE NATIONAL HEART, LUNG AND BLOOD
10 INSTITUTE, BY BRINGING IN TWO PATIENTS WITH -- WITH
11 BETA-THALASSEMIA, AND THEN WE GRADUALLY BUILT IT INTO A LARGE
12 SERVICE WITH . . . FOR SICKLE CELL AND FOR BETA-THALASSEMIA.

13 MY FEELING WAS -- AND THAT LAB WAS CREATED TO DEVELOP
14 THE TECHNOLOGY FOR DOING GENE THERAPY. THAT WAS 1968. SO I HAD
15 A VERY DIRECTED LAB FOR 22, 23 YEARS.

16 AS I'M SURE YOU KNOW, THAT THE WAY THE GENETIC
17 INFORMATION GOES IS DNA TO RNA, PROTEIN. AND FOR HEMOGLOBIN, A
18 SICKLE CELL, FOR EXAMPLE, THERE'S A DEFECT IN THE SIXTH CODE
19 WORD. THAT THEORETICALLY SHOULD BE A DEFECT IN THE RNA AND THEN
20 IN THE FINAL PROTEIN.

21 VERY LITTLE WAS KNOWN IN 1967, '68, ABOUT ANY OF THOSE
22 MECHANISMS IN MAMMALIAN CELLS OR HUMAN CELLS. A CERTAIN AMOUNT
23 WAS KNOWN IN BACTERIA, BUT THERE WAS VERY LITTLE KNOWN IN ANIMAL
24 CELLS. AND SO I WENT TO WORK TO TRY TO -- TO DISCOVER THE
25 MECHANISMS THAT ARE USED IN ANIMAL CELLS.

3
1 AND THE FIRST THING WAS TO TRY TO UNDERSTAND HOW A
2 PROTEIN IS MADE, THE MACHINERY OF THE CELL. HOW DO YOU MAKE
3 THAT PROTEIN? YOU'VE GOT THIS NICE NUCLEIC ACID STRAIN. HOW DO
4 YOU MAKE THE PROTEIN?

5 AND I WAS VERY FORTUNATE IN BEING ABLE TO DISCOVER THE
6 FACTORS, CALLED INITIATION FACTORS, THE PROTEINS -- THAT ARE
7 REQUIRED FOR MAKING THE PROTEIN.

8 AND IT TURNED OUT, BY LUCK, THAT THOSE SAME FACTORS
9 THAT WE DISCOVERED THAT MAKES HEMOGLOBIN MAKES EVERY OTHER
10 PROTEIN, AND NOT ONLY DOES IT MAKE EVERY OTHER PROTEIN IN
11 MAMMALIAN CELLS, RELATED FACTORS MAKE EVERY PROTEIN IN EVERY
12 ANIMAL SYSTEM, AND INCLUDING INVERTEBRATES AND PLANTS.

13 SO IT WAS A VERY MAJOR FINDING, AND IT REALLY
14 CATAPULTED MY LAB TO A -- A MAJOR LABORATORY FAIRLY EARLY.

15 HAVING THEN LEARNED HOW A MAMMALIAN CELL AND A HUMAN
16 CELL MAKES A PROTEIN, THE NEXT STEP WAS TO DETERMINE IF THE
17 PUTATIVE -- IT HADN'T BEEN DISCOVERED YET; IT HAD BEEN
18 THEORIZED, HADN'T BEEN DISCOVERED YET -- THE MESSENGER OF DNA
19 CARRIED THAT GENETIC DEFECT INFORMATION. NOWADAYS, WE TAKE THAT
20 FOR GRANTED, BUT IN 1969, 1970, YOU WOULDN'T KNOW THAT.

21 AND WHAT WE WERE ABLE TO DO WAS TO TAKE THE MESSENGER
22 RNA FROM THE BLOOD CELLS OF OUR PATIENT WITH SICKLE CELL ANEMIA
23 AND COOLEY'S ANEMIA, PUT IT IN A TEST TUBE ALONG WITH THESE
24 INITIATION FACTORS FROM A RABBIT, AND SHOW WE COULD MAKE HUMAN
25 SICKLE CELL HEMOGLOBIN AND HUMAN BETA-THALASSEMIA HEMOGLOBIN IN

3
1 A TEST TUBE.

2 IT WAS A . . . IT WAS FELT TO BE A MAJOR FINDING. THIS
3 WAS PRESENTED AT THE PLENARY SESSION OF THE MAJOR CLINICAL
4 MEETINGS, AND IT REALLY ESTABLISHED MY LABORATORY. IT THEN
5 BECAME A VERY LARGE LABORATORY WITH SIGNIFICANT RESOURCES.

6 WE THEN MOVED -- THOSE DNA/RNA PROTEIN. SO WE HAD
7 UNLOCKED THE RNA PROTEIN AND THEN WENT BACK TO THE DNA TO TRY TO
8 LEARN HOW THE RNA IS MADE.

9 AND THAT WAS A LOT STICKIER WICKET. THAT -- THAT WAS
10 TOUGH. WE RAN INTO SEVERAL DEAD-ENDS.

11 BUT THEN SOMETHING HAPPENED THAT WAS VERY SIGNIFICANT,
12 THAT REALLY CHANGED THE WHOLE -- WHOLE DIRECTION OF WHAT I DID,
13 AND THAT IS THE DISCOVERY OF ~~RECOMBINANT-DNA~~.

14 AND IT BECAME CLEAR THEN THAT WE WOULD USE GENES IN
15 GENE THERAPY NOT FROM THE PROCEDURE THAT DR. KHORANA WAS
16 DEVELOPING -- BECAUSE HE WAS THE WORLD'S LEADER AT THAT POINT IN
17 MAKING A GENE -- BUT IT WOULD BE DONE BY RECOMBINANT DNA
18 TECHNOLOGY.

19 SO I GAVE THE BULK OF MY LAB AWAY AND CREATED A NEW
20 LAB -- I KEPT THE SAME NAME BUT CREATED A NEW LAB -- WENT BACK
21 TO SCHOOL, ESSENTIALLY, LEARNED RECOMBINANT DNA TECHNOLOGY,
22 LEARNED TISSUE CULTURE, AND BEGAN IN 1975 VERY SPECIFICALLY
23 DEVELOPING GENE TRANSFER, GENE EXPRESSION, GENE DELIVERY SYSTEMS
24 TO TRY TO -- TO SPECIFICALLY START THE PROCESS FOR BEING ABLE TO
25 DO GENE THERAPY.

4
1 THE FIRST SYSTEMS I WORKED WITH WERE PUTTING NUCLEIC
2 ACID SEQUENCES INTO RED CELLS, INTO LIPOSOMES AND VARIOUS
3 PARTICLES, AND THOSE DIDN'T WORK TOO WELL.

4 BUT THEN I HAD THE IDEA THAT MAYBE YOU COULD DO IT BY
5 MAKING A LITTLE TINY HYPODERMIC NEEDLE AND ACTUALLY
6 MICROINJECTING IT INTO THE NUCLEUS OF A CELL.

7 AND I KNEW OF . . . DR. ELAINE DIACOUMACOS --
8 D-I-A-C-O-U-M-A-C-O-S, I THINK -- WHO HAS NOW DIED, BUT WAS
9 WORKING UP AT THE ROCKEFELLER UNIVERSITY AND WAS MICROINJECTING
10 PROTEINS. SO I ESTABLISHED A VERY FRIENDLY, FRUITFUL
11 COLLABORATION WITH ELAINE. I DUPLICATED HER EQUIPMENT AND BUILT
12 IT IN MY OWN LAB.

13 NOW, THE PEOPLE IN MY LAB THOUGHT IT WAS SUCH A
14 HAIRBRAINED IDEA, I COULDN'T EVEN PUT IT IN MY LAB, SO I HAD TO
15 PUT IT ON MY DESK IN MY OFFICE. SO I HAD THIS MICROINJECTION
16 APPARATUS -- IT WAS VERY CRUDE -- WITH ALL THE VARIOUS PARTS TO
17 IT.

18 IT TOOK A COUPLE OF YEARS TO DO, BUT DEVELOPED THE
19 TECHNIQUE FOR BEING ABLE TO INJECT A SINGLE GENE INTO A CELL
20 AND, IN 1979, REPORTED FOR THE FIRST TIME OF CORRECTING A CELL
21 THAT HAD A GENETIC DEFECT BY INSERTING A SINGLE COPY OF A GENE.

22 AND THAT WAS -- THAT REALLY ESTABLISHED THE CONCEPT OF
23 GENE THERAPY. FOR THE FIRST TIME, PEOPLE BEGAN TO BELIEVE THAT
24 MAYBE GENE THERAPY WAS GOING TO HAPPEN SOMETIME IN THE NEXT TEN,
25 20, 30 YEARS.

4 1 NOW, OF COURSE, OTHERS -- OTHER WORKERS HAD PUT DNA ON
2 CALCIUM PHOSPHATE, IN OTHER WAYS, AND PUT MANY COPIES, BUT THIS
3 WAS THE FIRST TIME A SINGLE COPY HAD BEEN DONE.

4 NOW, I ACTUALLY GAVE UP THAT PROCEDURE, WHICH WAS
5 CONSIDERED -- CONSIDERED TO BE A RATHER STRANGE THING TO DO AT
6 THE TIME, BUT IT BECAME VERY SUCCESSFUL. IT'S USED ALL AROUND
7 THE WORLD NOW TO USE THIS TRANSGENETIC MICE, TO PUT GENES INTO
8 OSITES (PHONETIC).

9 BUT I GAVE IT UP. THE REASON I GAVE IT UP WAS, MY
10 WHOLE GOAL WAS TO DO GENE THERAPY FOR BLOOD DISEASES. IF YOU
11 TWO A BILLION CELLS, AND IT TAKES YOU 30 SECONDS TO GO IN ONE
12 CELL, IT'S NOT GOING TO BE A PROCEDURE YOU CAN USE CLINICALLY.
13 YOU CAN'T GO INTO A BILLION CELLS TAKING A HALF A MINUTE A CELL.

14 SO I STARTED TRYING TO FIND A DIFFERENT PROCEDURE AND
15 CAME TO THE CONCLUSION ABOUT '83 THAT PERHAPS RETROVIRUSES COULD
16 BE USED.

17 BY '85, WE HAD CORRECTED THE BLOOD CELLS OF A PATIENT
18 WITH ADA DEFICIENCY -- IN THE TEST TUBE, NOT IN A PATIENT -- BY
19 PUTTING THE ADA GENE INTO A RETROVIRUS AND PUTTING THAT INTO THE
20 PATIENT'S IMMUNE CELLS IN THE TEST TUBE.

21 IN 1987, WE FIRST SUBMITTED MATERIAL LEADING TO A
22 REQUEST FOR DOING A GENE THERAPY EXPERIMENT. WE WERE INITIALLY
23 NOT SO MUCH TURNED DOWN AS TABLED.

24 IN 1988, WE REQUESTED AND RECEIVED PERMISSION IN '89 TO
25 PUT A RECOMBINANT MARKER GENE INTO CANCER PATIENTS. WE WENT

4 1 INTO THE FIRST PATIENT MAY 22ND, 19 -- 1989, IN A SUCCESSFUL
2 STUDY WHERE WE SHOWED THAT WE COULD DO THE GENE TRANSFER PROCESS
3 IN HUMANS.

4 WE REQUESTED EARLY IN 1990 PERMISSION TO DO GENE
5 THERAPY ON CHILDREN WITH ADA DEFICIENCY. WE RECEIVED APPROVAL
6 IN EARLY FALL -- IN -- IN MID-SUMMER, AND WENT INTO OUR FIRST
7 PATIENT SEPTEMBER 14TH, 1990, A FOUR-YEAR-OLD GIRL WITH ADA
8 DEFICIENCY.

5 9 THAT HAS GONE WELL AND WE HAVE NOW GONE INTO OUR SECOND
10 PATIENT, AND WE WENT INTO TWO CANCER PATIENTS WITH A GENE
11 THERAPY PROTOCOL FOR CANCER . . . ABOUT -- ALL -- ALL IN THE
12 LAST MONTH.

13 SO THAT'S . . .

14 Q. (BY MR. PASAHOW) THAT'S THE --

15 A. A RATHER LENGTHY SUMMARY.

16 Q. HAVE YOU SERVED AS AN EXPERT CONSULTANT BEFORE?

17 A. OH, YES. I WAS . . . AN EXPERT AT CONGRESSIONAL HEARINGS ON
18 HUMAN GENETIC ENGINEERING, AT PRESIDENTIAL COMMISSION HEARINGS
19 ON HUMAN GENETIC ENGINEERING, OFFICE OF TECHNOLOGY TRANSFER
20 ASSESSMENT . . . MEETINGS, HEARINGS.

21 I'M A CONSULTANT FOR VARIOUS HOSPITALS AND UNIVERSITIES
22 ON GENE THERAPY.

23 I'VE PRESENTED MANY TIMES BEFORE THE RECOMBINANT DNA
24 ADVISORY COMMITTEE ON GENE THERAPY, BEFORE THE HUMAN GENE
25 THERAPY SUBCOMMITTEE, TO THE FOOD & DRUG ADMINISTRATION,

5

1 ADVISORY COMMITTEE MEETINGS AND SO ON, YES, SIR.

2 Q. AND I UNDERSTAND YOU ARE THE FOUNDER AND EDITOR OF A JOURNAL
3 ON HUMAN GENE THERAPY?

4 A. YES. I'VE BEEN ON THE EDITORIAL BOARD OF A NUMBER OF
5 JOURNALS, BUT WE ESTABLISHED OUR -- A JOURNAL IN OUR OWN FIELD A
6 LITTLE OVER A YEAR AGO, AND I'M THE FOUNDING EDITOR.

7 Q. LET ME SHOW YOU WHAT WE'VE MARKED AS EXHIBIT B-280.

8 IS THAT A COPY OF YOUR CV?

9 A. YES.

10 Q. AND THAT LISTS ABOUT 250 OR SO PUBLICATIONS. THOSE ARE
11 PUBLICATIONS YOU'VE HAD OVER THE YEARS --

12 A. YES.

13 Q. -- IN TECHNICAL JOURNALS?

14 A. YES.

15 Q. ARE YOU BEING COMPENSATED FOR YOUR TIME AS AN EXPERT
16 CONSULTANT OR WITNESS IN THIS CASE?

17 A. YES AND NO. BY THAT, I MEAN, YES, I'M COMPENSATED AT THE
18 RATE OF \$250 AN HOUR. HOWEVER, I AM A GOVERNMENT CIVIL SERVANT,
19 AND I HAVE A CAP ON HOW MUCH TIME I CAN SPEND, AND I USED UP MY
20 CAP IN PREPARATION, SO BASICALLY MY TESTIMONY IS FOR FREE.

21 Q. AT ONE POINT IN THE 1960'S, YOU INDICATED YOU WERE WORKING
22 ON THIS GENETIC CODE RESEARCH AT THE SAME TIME AS RELATED
23 RESEARCH WAS GOING ON IN THE LABORATORY OF DR. KHORANA?

24 A. YES.

25 Q. DURING THAT PERIOD, DID YOU START REVIEWING PUBLICATIONS

5

1 FROM THE KHORANA LABORATORY?

2 A. READING THEM, YES. YES, I READ EVERY PAPER OUT OF DR.
3 KHORANA'S LABORATORY FROM THE MID-'60'S UNTIL THE MID-'70'S, AS
4 SOON AS -- AS SOON AS THEY CAME OUT.

5 ORIGINALLY, BECAUSE WE WERE COMPETING IN THE CODE, BUT
6 THEN AS I MOVED INTO THE PROTEIN SYNTHESIS AREA, IN MY
7 INDEPENDENT LAB, DR. KHORANA MOVED INTO ATTEMPTING AND
8 SUCCESSFULLY SYNTHESIZING A GENE.

9 NOW, IF YOU'RE GOING TO DO GENE THERAPY, THE FIRST
10 THING YOU NEED IS A GENE, AND THEN A DELIVERY SYSTEM, AND THEN,
11 THIRD, A DETECTION SYSTEM. AND SINCE THE WORLD LEADER IN MAKING
12 A GENE WAS KHORANA, I READ EVERY PAPER AS IT CAME OUT, YES.

13 Q. DID YOU BECOME AWARE FROM THE PAPERS YOU WERE READING FROM
14 THE KHORANA LABORATORY THAT HE WAS TRYING A TWO-PRIMER SYSTEM
15 FOR COPYING DNA?

16 A. YES.

17 Q. NOW, YOU INDICATED YOU HAD WORKED WITH DR. DOTY IN THE EARLY
18 1960'S WITH DNA HYBRIDIZATION.

19 DID YOU CONTINUE TO STAY INTERESTED IN THAT FIELD?

20 A. YES. I'D BEEN . . . NUCLEIC ACID HYBRIDIZATION, DNA
21 HYBRIDIZATION, IS AN AREA THAT HAS BEEN CENTRAL TO WHAT I'VE
22 DONE FOR -- FOR 20 YEARS.

23 AGAIN, AS WAS SAID, IF YOU'RE GOING TO DO GENE THERAPY,
24 YOU NEED A GENE, YOU NEED TO BE ABLE TO TRANSFER THE GENE, AND
25 YOU HAVE TO BE ABLE TO DETECT THE GENE.

5

1 AND IT -- IT HAS BEEN KNOWN SINCE -- SINCE -- WELL, FOR
2 MANY YEARS THAT THE MOST SENSITIVE WAY, SPECIFIC WAY OF
3 DETECTING THE GENE IS BY NUCLEIC ACID HYBRIDIZATION.

4 SO I WAS INVOLVED AS A STUDENT IN THOSE INITIAL
5 DISCOVERIES AND STUDIES AND HAVE BEEN ACTIVE SINCE AND, DURING
6 THE EARLY '70'S, PUBLISHED A NUMBER OF THE PAPERS THAT
7 ESTABLISHED HOW TO USE HYBRIDIZATION TO DETECT THE AMOUNT OF
8 GLOBIN MEASURED IN DNA, AND HOW TO DETECT ALPHA RATIOS OF
9 GLOBIN, PRACTICAL PAPERS AS WELL AS THEORETICAL PAPERS GOING
10 INTO THE ACTUAL PHYSICAL CHEMISTRY OF HOW THESE REACTIONS WORK.

11 Q. DOES PCR HAVE ANY RELATIONSHIP TO THE WORK YOU'VE BEEN DOING
12 ON GENE THERAPY?

13 A. YES. PCR IS THE CENTRAL METHOD THAT WE USE TO DETECT THE
14 GENES IN BOTH THE PATIENT CELLS AS WELL AS IN ANIMAL CELLS IN
15 OUR PRECLINICAL STUDIES.

16 Q. WHEN DID YOU FIRST BEGIN TO KEEP CLOSE TABS ON THE
17 LITERATURE HAVING TO DO WITH THE SYSTEMS FOR DETECTING THE
18 PRESENCE OR ABSENCE OF DNA SEQUENCES IN CELLS?

19 A. 1957. 1957, WAS WHEN I WAS A JUNIOR -- SENIOR -- SENIOR AT
20 HARVARD COLLEGE AND WAS DOING FOR THE FIRST TIME A GENE TRANSFER
21 EXPERIMENT. THAT WAS IN BACTERIA BECAUSE NOTHING WAS
22 CONCEIVABLE IN MAMMALS AT THAT POINT.

23 BUT THAT WAS TRANSFERRING A BACTERIAL GENE FROM ONE
24 BACTERIAL SPECIES TO ANOTHER, AND ALL WE HAD TO LOOK AT AT THAT
25 POINT WAS A CHANGE IN THE CHARACTERISTICS OF THE BACTERIA.

6 1 BUT, IN ADDITION, I ATTEMPTED TO WORK OUT, WITH -- WITH
2 SOME SUCCESS, HOW TO BE ABLE TO DETECT CHANGES BASED ON LOOKING
3 AT THE PHYSICAL CHEMISTRY OR THE PROPERTIES, THE PHYSICAL
4 PROPERTIES OF A DNA MOLECULE.

5 Q. WHEN DID --

6 A. AND I FOLLOWED THAT FIELD EVER SINCE.

7 Q. AS YOU FOLLOWED THE FIELD, WHEN DID YOU FIND THAT AN
8 EFFECTIVE DETECTION SYSTEM THAT COULD BE USED FOR GENE THERAPY
9 TYPE OF DETECTION FIRST BECAME AVAILABLE?

10 A. WOULD YOU REPEAT THAT?

11 Q. WELL, LET ME TRY TO --

12 A. NO. I WAS JUST --

13 Q. -- SIMPLIFY IT.

14 AND THE QUESTION IS: WHEN DID AN EFFECTIVE DETECTION
15 SYSTEM THAT YOU COULD USE FOR YOUR GENE THERAPY WORK FIRST
16 BECOME AVAILABLE?

17 A. WELL, THERE ARE VARIOUS KINDS OF GENE DETECTION SYSTEMS,
18 BUT -- BUT THE FIRST TIME THAT ONE WHICH WAS -- WAS EFFICIENT
19 AND PRACTICAL AND COULD BE USED WAS -- THE FIRST TIME I BECAME
20 AWARE OF IT WAS END OF DECEMBER OR FIRST OF JANUARY OF --
21 DECEMBER OF '85, FIRST OF JANUARY '86, WHEN THE SAIKI SCIENCE
22 PAPER CAME OUT.

23 Q. NOW, BEFORE THAT TIME, WERE YOU AWARE OF A TECHNIQUE THAT'S
24 BEEN CALLED SOUTHERN BLOTTING?

25 A. OH, CERTAINLY.

6

1 Q. OR SOUTHERN BLOTS, I GUESS IT IS.

2 A. BOTH. BOTH. BLOTTING IS THE VERB.

3 Q. CAN SOUTHERN BLOTS WITHOUT PCR BE USED FOR THE KIND OF
4 DETECTION YOU NEED IN HUMAN GENE THERAPY?

5 A. NO. WE USE SOUTHERN BLOTS AND HAVE USED SOUTHERN BLOTS FOR
6 YEARS. IN FACT, THE GENE TRANSFER TECHNOLOGY -- THE GENE
7 TRANSFER BY MICROINJECTION THAT WE DID IN '79, THAT -- THAT USED
8 SOUTHERN BLOTS, AND WE USED SOUTHERN BLOTS AS PART OF OUR HUMAN
9 GENE THERAPY DETECTION SYSTEM.

10 BUT SOUTHERN BLOTS WILL ONLY DETECT ABOUT ONE CELL IN
11 TEN WHICH CARRIES A GENE. AT BEST, ONE IN 20. AND WE NEED TO
12 BE ABLE TO DETECT ONE IN A THOUSAND, ONE IN 10,000, ONE IN A
13 HUNDRED THOUSAND. SO ONLY PCR -- AND WE DO IT IN CONJUNCTION
14 WITH SOUTHERN BLOTS. REALLY, FOR US, ONLY PCR IS -- IS USEFUL.

15 Q. SO YOU DO AN AMPLIFICATION WITH PCR FIRST AND THEN DO
16 SOUTHERN BLOTS --

17 A. YES.

18 Q. -- TO DETECT?

19 A. YES. YOU CAN SEE WITHIN THE BROMIDE BEFORE SOUTHERN BLOT,
20 BUT, FOR OUR PURPOSES, WE REALLY WANT TO NOT ONLY FIND IT BUT
21 QUANTITATE IT.

22 ARE WE GETTING IT IN ONE IN A HUNDRED THOUSAND CELLS,
23 OR IS IT ONE IN 10,000 CELLS, OR IS IT ONE IN 1,000 CELLS?

24 SO WE NEED -- WE NEED EVERYTHING WE CAN GET, AND SO WE
25 USE PCR WITH SOUTHERN BLOTTING AS THE MOST SENSITIVE AND MOST

6

1 QUANTITATIVE WAY OF DETECTION.

2 Q. NOW, WE'VE HEARD THAT THERE ARE OTHER WAYS THAT BIOCHEMISTS
3 OR MOLECULAR BIOLOGISTS HAVE USED TO AMPLIFY DNA BEFORE THEY
4 TRIED TO DETECT AND, IN PARTICULAR, RECOMBINANT CLONING
5 TECHNIQUES.

6 A. RIGHT.

7 Q. COULD THOSE BE USED IN THE PLACE OF PCR IN YOUR WORK?

8 A. OH, NOT IN PLACE OF. WE USE -- I THINK PROBABLY EVERY
9 TECHNIQUE THAT IS USED, WE USE IN ONE WAY OR ANOTHER IN OUR
10 LABORATORY. BUT NONE OF THE OTHERS CAN BE USED AS A DETECTION
11 PROCEDURE FOR IDENTIFYING THE RARE CELL IN A WHOLE ANIMAL OR A
12 WHOLE -- OR A WHOLE PATIENT, OR IN A -- IN A COMPLEX MIXTURE.

13 Q. NOW, YOU'VE ALREADY REFERRED TO THE DECEMBER 1985 ARTICLE IN
14 SCIENCE THAT WAS AUTHORED BY MR. SAIKI AND HIS CETUS COLLEAGUES,
15 AND THAT'S WHAT WE'VE MARKED AS EXHIBIT B-38?

16 A. UH-HUH. UH-HUH. YES.

17 Q. WHEN YOU FIRST READ THAT ARTICLE, WHAT WAS YOUR REACTION TO
18 IT?

19 A. I DIDN'T BELIEVE IT.

20 Q. WHAT DIDN'T YOU BELIEVE?

21 A. I DID NOT BELIEVE THE -- THE CONCLUSIONS OF THE AUTHORS. I
22 BELIEVED THAT THEY DID THE EXPERIMENTS, AND THAT THEY DID IT THE
23 WAY THEY SAID THEY DID IT, AND THEY GOT THE NUMBERS THEY SAID
24 THEY GOT, BUT I DID NOT BELIEVE THEIR CONCLUSIONS.

25 Q. WHY NOT?

6

1 A. WELL, YOU SEE . . . MOLECULAR BIOLOGY ISN'T LIKE MUCH OTHER
2 PARTS OF SCIENCE. YOU CAN'T SEE MOLECULES. EVERYTHING YOU DO
3 IS BASED ON ASSUMPTIONS.

4 IF YOU WANT TO SEE -- IF YOU WANT TO DO AN EXPERIMENT
5 AND YOU WANT TO KNOW HOW MANY EGGS A CHICKEN LAYS, EVERY MORNING
6 YOU CAN GO IN AND YOU CAN COUNT THE EGGS. AND ONE DAY, IT'S
7 ONE; ANOTHER DAY, IT'S THREE; ANOTHER DAY, IT'S TWO. AND AT THE
8 END OF A PERIOD OF TIME, YOU CAN SAY THIS CHICKEN LAYS 2.3 EGGS
9 A DAY. AND YOU CAN SEE THE CHICKEN AND YOU CAN SEE THE EGGS.

10 YOU CAN'T SEE MOLECULES, SO EVERYTHING IS BASED ON
11 ASSUMPTIONS.

12 LET ME -- COULD I HAVE A -- LET ME -- LET ME -- LET ME
13 EXPLAIN, A TEACHING PRACTICE WHICH I DO WITH MY OWN STUDENTS,
14 AND MAYBE IT'LL POINT THIS OUT. AND I'VE DONE THIS FOR YEARS.

15 A STUDENT WILL COME IN -- BY "STUDENT," I MEAN A
16 GRADUATE STUDENT OR A POST-DOC -- AND SAY, "OH, I'VE GOT SOME --
17 I'VE GOT SOME EXCITING RESULTS HERE. I'VE BEEN ABLE TO MAKE 20
18 PICOMOLES OF HEMOGLOBIN."

19 AND MY RESPONSE WILL BE, "HOW DO YOU KNOW THAT YOU MADE
20 20 PICOMOLES OF HEMOGLOBIN?"

21 AND THEY'LL SAY, "OH, LOOK, I'VE GOT THE DATA RIGHT
22 HERE."

23 AND I WILL SAY, "WHAT YOU HAVE RIGHT THERE ARE NUMBERS
24 ON A PIECE OF PAPER. ALL -- THAT'S ALL YOU KNOW."

25 ALL YOU KNOW IS, IS THAT YOU TOOK A CLEAR DROP OF

7 1 SOLUTION FROM ONE TUBE WHICH WAS -- YOU HAD MADE SOMETHING, YOU
2 THOUGHT IT WAS A TEMPLATE -- AND A CLEAR DROP FROM ANOTHER TUBE
3 THAT WAS LABELED SOMETHING ELSE, AND SEVERAL OTHER TUBES THAT
4 YOU BOUGHT FROM COMPANIES THAT HAD LABELS LIKE AMINO ACID OR
5 LABELS LIKE A NUCLEOTIDE, AND THEN YOU TOOK A BOTTLE AND IT WAS
6 LABELED SODIUM CHLORIDE, AND SO YOU ASSUMED THERE WAS SODIUM
7 CHLORIDE IN THERE, THEN A TUBE THAT SAYS MAGNESIUM AND YOU
8 ASSUMED THERE WAS MAGNESIUM.

9 YOU PUT ALL THOSE IN A TUBE. YOU PUT THE TUBE IN A
10 WATER BATH. YOU HEATED IT; YOU TOOK IT OUT. MAYBE YOU PUT IT
11 IN A FILTER, RAN A GEL, PUT IT ON A COLUMN. YOU DID A FEW OTHER
12 THINGS, AND THEN YOU PUT IT INTO A MACHINE, AND SOME LIGHTS WENT
13 AROUND AND A PIECE OF PAPER CAME OUT AND IT HAD PRINTED NUMBERS.
14 THAT'S ALL YOU KNOW. EVERYTHING ELSE IS AN ASSUMPTION.

15 SO ONCE I'VE DONE THAT WITH A STUDENT, THEN THEY'RE
16 MUCH MORE CAREFUL ABOUT -- ABOUT -- ABOUT WHAT THEY SAY, BECAUSE
17 EVERYTHING WE DO IN MOLECULAR BIOLOGY IS BASED ON ASSUMPTIONS.

18 SO WHEN THIS CAME OUT, I WAS PERFECTLY READY TO BELIEVE
19 THAT THESE SCIENTISTS CARRIED OUT THESE EXPERIMENTS EXACTLY LIKE
20 THEY SAID; THE NUMBERS WERE EXACTLY WHAT THEY GOT, BUT THAT THEY
21 WERE ASSUMPTIONS THAT THEY SIMPLY GOT INCORRECT. AND SO THEIR
22 CONCLUSION, ALTHOUGH IT WAS PERFECTLY CONSISTENT WITH THEIR
23 DATA, JUST WASN'T THE RIGHT CONCLUSION.

24 THAT WAS -- THAT WAS MY FEELING AT THE TIME.

25 Q. WELL, THE -- THE CETUS SCIENTISTS IN THAT PAPER RAN CONTROLS

7

1 AND SUCH; IS THAT RIGHT?

2 A. OH, YEAH. OH . . . THEY DID EVERYTHING RIGHT, YES. YES,
3 EVERYTHING JUST LIKE MY STUDENTS. YES, THEY HAD THE NUMBERS
4 THERE. THEY DID ALL THE CONTROLS.

5 Q. WELL, WHY DIDN'T YOU BELIEVE, THEN, THAT THEY WERE GETTING
6 THE KIND OF AMPLIFICATION THAT THEY THOUGHT THEY WERE REPORTING?

7 A. WELL, IT WAS -- IT WAS A MIND SET, I GUESS. IT WAS A BIAS,
8 BECAUSE . . . THIS CONCEPT OF A TWO-PRIMER METHOD WAS ONE
9 WHICH . . . I WAS AWARE OF FROM KHORANA'S PAPERS AND -- AND --
10 REALLY FOR TWO REASONS: FOR BOTH THEORETICAL REASONS AND ON
11 PRACTICAL, HISTORICAL GROUNDS, IT WAS BELIEVED THAT IT SIMPLY --
12 IT SIMPLY COULD NOT WORK.

13 AND THE THEORETICAL GROUNDS ARE BASED ON -- THE
14 TECHNICAL TERM IS RENATURATION KINETICS. SO, SORT OF, MY LEFT
15 ARM IS ONE STRAND OF DNA (INDICATING) AND MY RIGHT ARM IS
16 ANOTHER STRAND OF DNA (INDICATING).

17 DNA IS DOUBLE-STRANDED. AND WHAT THE . . . THE CONCEPT
18 BEHIND PCR IS THAT WHAT'S SUPPOSED TO HAPPEN IS THAT TWO STRANDS
19 COME APART (INDICATING) AND THEN YOU PUT A PRIMER HERE
20 (INDICATING) AND YOU ADD EACH NUCLEOTIDE (INDICATING) AND HOOK
21 IT UP AND COME RIGHT TO THE END, AND THE SAME THING ON THIS
22 OTHER SIDE (INDICATING). AND THEN WHEN YOU FINISH WITH THAT,
23 YOU HAVE FOUR. AND THEN THAT SEPARATES AND YOU HAVE EIGHT.
24 THEN YOU HAVE 16. THEN YOU HAVE 32.

25 THE DIFFICULTY IS THAT -- THAT . . . THAT'S NOT WHAT

7

1 HAPPENS, BECAUSE -- BECAUSE THESE CHAINS COME TOGETHER FASTER
2 (INDICATING) AND MORE EFFICIENTLY THAN YOU COULD EVER ZIP IT UP.

3 AND NOW ONE COULD GO INTO THE PHYSICAL CHEMISTRY, THE
4 DELTA ENERGIES, BUT THE BASIC POINT IS THIS (INDICATING) --
5 SORRY -- THIS (INDICATING) IS -- IS FASTER AND MORE EFFICIENT
6 THAN THIS (INDICATING).

7 SO JUST ON THEORETICAL GROUNDS, ON PURELY CALCULATION
8 GROUNDS, IT SHOULDN'T WORK.

9 AND THEN, SECONDLY, WHEN YOU HAVE THE BEST LABORATORY
10 IN THE WORLD, DR. KHORANA, WHOSE PUBLICATIONS INDICATE THAT THEY
11 TRIED TO DO IT AND COULDN'T, THEN THAT SIMPLY -- SIMPLY VERIFIED
12 THE MIND SET THAT WE ALL HAD THAT, ON THEORETICAL GROUNDS, IT
13 CAN'T WORK.

14 SO WHEN CETUS COMES OUT WITH A PAPER AND IT SAYS, "OH,
15 HERE ARE THE EXPERIMENTS WE DID, AND WE GOT EXPONENTIAL
16 AMPLIFICATION," WE DISCUSSED IT IN DETAIL IN OUR LAB AND SAID,
17 "WELL, WE CAN'T SEE THE FLAWS, WE CAN'T SEE WHAT THE INCORRECT
18 ASSUMPTIONS ARE, BUT THEY'RE THERE SOMEWHERE," AND BASICALLY
19 IGNORED IT.

20 Q. IT'S AN EXAMPLE OF WHAT YOU SOMETIMES HAVE DESCRIBED AS THE
21 LITERATURE GETTING AHEAD OF THE FIELD?

22 A. YES. THAT'S A PHRASE THAT I'M KNOWN FOR. YOU WANT TO KNOW
23 WHERE IT CAME FROM?

24 Q. PLEASE.

25 A. OKAY. WHEN I MENTIONED EARLIER THAT -- THAT, WHEN WE

8 1 WERE -- AFTER DOING THE PROTEIN PART AND THE MESSENGER RNA PART,
2 WE TRIED TO DEVELOP THE -- TO LEARN THE MACHINERY OF HOW A DNA
3 MAKES AN RNA.

4 NOW, THAT'S BEEN WORKED OUT NOW IN RECENT YEARS, BUT --
5 BUT WE WERE A LITTLE PREMATURE WHEN I WAS TRYING TO DO IT.

6 BUT THERE WERE A NUMBER OF MAJOR LABORATORIES WORKING
7 ON IT, AND WE ALL THOUGHT WE WERE GETTING RESULTS. WE THOUGHT
8 WE HAD A NET SYNTHESIS OF BETA-GLOBIN MESSENGER RNA OFF OF THE
9 CHROMOSOMES, THE CHROMATIN, THAT I ISOLATED FROM CELLS. AND WE
10 WERE ALL PUBLISHING AND SORT OF RACING EACH OTHER BECAUSE THIS
11 WAS ALL VERY EXCITING.

12 BUT . . . THEN AS WE WERE SORT OF LOOKING AT OUR -- OUR
13 EXPERIMENTS, WE STARTED TO REALIZE THAT SOME OF OUR ASSUMPTIONS
14 DIDN'T SEEM TO BE QUITE RIGHT.

15 AND SO ONE OF THE PEOPLE IN THE LAB, GROVER WILSON,
16 WHO'S GONE ON TO BE VERY SUCCESSFUL, WENT BACK AND REALLY
17 ANALYZED THAT WHOLE SYSTEM IN GREAT DETAIL AND DISCOVERED THAT
18 THERE WASN'T JUST ONE ARTIFACT, THERE WERE TWO ARTIFACTS, AND
19 THEY WERE BALANCING ARTIFACTS.

20 AND SO WHAT HAPPENS IS, WE ALL THOUGHT WE WERE GETTING
21 A NET SYNTHESIS OF BETA-GLOBIN, BUT WHAT WE WERE REALLY GETTING
22 IS . . . IS DATA WHICH COULD BE INTERPRETED THAT WAY BUT REALLY
23 WASN'T.

24 AND I WAS GIVING A SEMINAR, I THINK IT WAS AT HARVARD
25 OR M.I.T., BACK IN '74, '75, SOMEWHERE IN THERE, AND I -- AND I

8
1 WAS TALKING ABOUT THIS, AND I SAID -- AND I SAID, "THE
2 LITERATURE HAS GOTTEN AHEAD OF THE FIELD." AND THAT PRODUCED A
3 LAUGH, AND THEY . . . AND IT WAS A SPONTANEOUS STATEMENT.

4 AND WHAT I MEANT BY THAT WAS, WE WERE ALREADY
5 PUBLISHING SUCCESSES AND WE REALLY HADN'T GOTTEN IT. WE JUST
6 THOUGHT WE HAD BUT WE REALLY HADN'T GOTTEN IT.

7 IT'S LIKE SAYING, YOU KNOW, WE'VE LANDED SOMEBODY ON
8 THE MOON, BUT IT . . . BUT . . . THE ROCKET HADN'T GOTTEN THERE
9 YET.

10 SO THAT'S WHAT -- THAT'S WHAT HAPPENED.

11 AND BASICALLY THAT'S WHAT WE THOUGHT THIS WAS. I MEAN,
12 THIS WAS THE LITERATURE HAD GOTTEN AHEAD OF THE FIELD. HERE WAS
13 A STATEMENT SAYING THAT THEY HAD ENZYMOLOGICAL AMPLIFICATION OF
14 BETA-GLOBIN GENETIC SEQUENCES.

15 Q. WELL, AT SOME POINT, YOU CHANGED YOUR MIND, I TAKE IT.
16 YOU'RE NOW USING PCR.

17 A. YES, YES.

18 Q. HOW DID YOU COME TO BELIEVE THAT PCR, IN FACT, WORKS?

19 A. WELL, WHAT HAPPENED WAS THAT I WAS INVITED TO GIVE THE
20 DAVIES LECTURES AT UNIVERSITY OF SAN FRANCISCO IN 1986, LATE
21 OCTOBER, EARLY NOVEMBER 1986. AND BEFORE COMING OUT TO SAN
22 FRANCISCO, A YOUNG LADY WHO'D BEEN A GRADUATE STUDENT, A VERY
23 GOOD GRADUATE STUDENT OF MINE, WAS ACTUALLY WORKING AT CETUS.
24 HER NAME WAS DR. YOWAN (PHONETIC) CHIANG, C-H-I-A-N-G.

25 YOWAN CALLED AND SAID, "WOULD YOU LIKE TO COME TO SAN

8

1 FRANCISCO? WOULD YOU LIKE TO COME BY AND VISIT MY FAMILY?

2 I SAID, "FINE."

3 SHE ASKED ME WHAT I THOUGHT ABOUT PCR, AND I SAID --
4 ABOUT THIS PAPER, AND I SAID, WELL, YOU KNOW -- SHE KNOWS I'M A
5 SKEPTICAL SCIENTIST. I SAID, "I REALLY DON'T BELIEVE IT."

6 SHE SAID, "OH, NO, I'VE DONE IT WITH MY OWN HANDS. IT
7 WORKS."

8 FOR YOWAN TO SAY, "I'VE DONE IT WITH MY OWN HANDS.
9 THIS SYSTEM WORKS," MEANT THAT THAT WAS REALLY WORTH LOOKING
10 INTO. I STILL DIDN'T BELIEVE IT, BUT IT WAS WORTH LOOKING INTO.

11 SO WHAT YOWAN DID IS, SHE ARRANGED FOR HENRY ERLICH AND
12 JOHN SNINSKY TO COME TO MY LECTURE AT UNIVERSITY OF SAN
13 FRANCISCO, AND THEN AFTERWARDS --

14 Q. AND THOSE ARE TWO CETUS SCIENTISTS?

15 A. YES. I'M SORRY. THOSE ARE TWO -- TWO CETUS SCIENTISTS WHO
16 WERE INVOLVED IN THE PCR WORK.

17 THEY CAME TO MY LECTURE, AND AFTERWARDS WE STOOD OUT ON
18 THE STEPS OF THE UNIVERSITY OF SAN FRANCISCO AND ARRANGED A
19 COLLABORATION.

20 BUT I WAS CLEAR TO THEM THAT I WAS STILL VERY SKEPTICAL
21 ABOUT THIS, AND SO WHAT I WANTED TO DO WAS BASICALLY TEST THEM
22 FIRST.

23 AND SO WHAT WE DID IS THIS -- WE SENT THEM SOME CODED
24 SAMPLES. WHAT A CODED SAMPLE IS -- MEANS IS, WE TOOK SOME CELLS
25 WHERE EVERY CELL CONTAINED A GENE, AND THIS SPECIFIC GENE

8
9
1 HAPPENED TO BE A MARKER GENE, A NEOMYCIN SYNTHESIS, AND THEN
2 DILUTED IT ONE TO TEN, ONE TO TEN, ONE TO TEN. SO WE SENT THEM
3 TUBES THAT WERE ONE TO TEN, ONE TO A HUNDRED, ONE TO A THOUSAND,
4 ONE TO 10,000, ONE TO A HUNDRED THOUSAND, ALONG WITH POSITIVE
5 CONTROLS AND NEGATIVE CONTROLS, SCRAMBLED IT UP, JUST PUT ON
6 LETTERS, SO THEY HAD NO WAY OF KNOWING WHAT WAS WHAT, SENT IT TO
7 THEM.

8 STEVE SCHARF, WHO WAS THE SCIENTIST WHO DID IT, RAN THE
9 PCR REACTION AND SENT BACK THE RESULTS ON A CELLULAR BLOT, ON AN
10 X-RAY FILM, BY FEDERAL EXPRESS, AND WHEN -- I THINK IT WAS BY
11 FEDERAL EXPRESS.

12 AND WHEN IT ARRIVED -- AND I REMEMBER VERY CLEARLY,
13 WHEN I OPENED IT UP AND LOOKED AT THOSE AND SAW THEY HAD
14 CORRECTLY IDENTIFIED EVERY ONE OF THEM, AT THAT POINT, I KNEW
15 THAT PCR WORKED. I DIDN'T UNDERSTAND HOW IT COULD WORK AND HOW
16 YOU COULD GET AROUND THIS PROBLEM (INDICATING), BUT IT WORKED.

17 AND SO WE IMMEDIATELY SET UP A COLLABORATION.
18 INITIALLY, CETUS DID OUR PCR'S FOR US, BUT WITHIN A FEW MONTHS,
19 WE HAD SET IT UP IN OUR LABORATORY, AND WE'VE DONE IT EVERY DAY
20 SINCE.

21 Q. NOW, IF -- IF 15 YEARS BEFORE THIS, BACK IN 1970 OR 1971,
22 YOU HAD BEEN TOLD THAT PCR COULD BE MADE TO WORK BACK AT THAT
23 TIME, WOULD THAT HAVE BEEN SOMETHING THAT WOULD HAVE BEEN OF
24 INTEREST TO YOU, GIVEN YOUR SITUATION IN THAT TIME PERIOD?

25 A. OH, IT WOULD HAVE BEEN OF ENORMOUS INTEREST. IT WOULD NOT

9

1 HAVE BEEN OF -- OF REAL PRACTICAL USE, TO ME, IN -- IN '71,
2 PROBABLY, CERTAINLY BY '75, '76, WHEN I WAS DOING -- TRYING TO
3 DO GENE TRANSFER KIND OF EXPERIMENTS. I'M NOT SURE '77.
4 MAYBE . . . IT WAS -- IT WOULD HAVE BEEN OF SUFFICIENT INTEREST,
5 I THINK, THOUGH, THAT MANY LABORATORIES WOULD HAVE ORIENTED
6 THEIR -- THEIR EXPERIMENTS AROUND THE USE OF SUCH A SENSITIVE
7 DETECTION SYSTEM, YES.

8 Q. NOW, GOING TO THIS LATER TIME PERIOD, THEN, 1976, COULD YOU
9 HAVE USED PCR IN 1976?

10 A. OH, YES. OH, YES.

11 Q. NOW, WE'VE HEARD ABOUT THE NEED FOR PRIMERS TO DO PCR, AND
12 YOU, OF COURSE, ARE AWARE OF THE NEED --

13 A. UH-HUH.

14 Q. -- TO HAVE THE TWO PRIMERS FOR THE TWO ENDS OF THE SEQUENCE.

15 A. RIGHT.

16 Q. COULD YOU HAVE GOTTEN THOSE PRIMERS IN 1976?

17 A. I WAS SYNTHESIZING PRIMERS WITH MY OWN HANDS IN 19 . . . IN
18 1966.

19 THE TWO MAJOR LABORATORIES WORKING ON THE GENETIC CODE
20 WERE MARSHALL NIRENBERG'S LABORATORY AND GOBIND KHORANA'S
21 LABORATORY.

22 KHORANA SYNTHESIZED THE VARIOUS TRIPLETS, THE
23 OLIGONUCLEOTIDES, BIOCHEMICAL MEANS, AND WE DID IT BY ENZYMATIC
24 MEANS. AND IN '66 -- '65-'66, I WAS THE PRIMARY PERSON IN THE
25 NIRENBERG LABORATORY MAKING THOSE OLIGONUCLEOTIDES AND -- THEY

9

1 WERE RNA NUCLEOTIDES -- BUT MAKING THOSE -- THOSE NUCLEOTIDES,
2 SO, YES.

3 IF A PROCEDURE IS SUFFICIENTLY VALUABLE, ONE WILL DO
4 WHATEVER ONE HAS TO DO IN ORDER TO -- TO GET IT GOING. AND BY
5 '75, '76, EVEN THOUGH IN ONE SENSE I HAD -- I HAD GIVEN MY LAB
6 AWAY, I STILL CONTROLLED VERY SUBSTANTIAL RESOURCES. AND IF I
7 HAD HAD ANY BELIEF THAT PCR WOULD WORK, THERE IS NO QUESTION IN
8 '76 I COULD HAVE GOTTEN THE PRIMERS, I COULD HAVE GOTTEN THE
9 ENZYMES, ET CETERA, YES.

10 Q. NOW, YOU'VE INDICATED THAT PCR IS USED IN YOUR LAB ON A
11 REGULAR BASIS NOW?

12 A. YES.

13 Q. IS IT USED, FOR EXAMPLE, IN DIAGNOSING THE -- THIS -- OR NOT
14 DIAGNOSING, BUT THE TESTING THE PROGRESS OF YOUR TREATMENT OF
15 PEOPLE LIKE THIS GIRL WITH ADA DEFICIENCY?

16 A. OH, YES. OH, YES. THAT'S . . . THE PCR WAS WHAT WE USED TO
17 FIRST DETECT THAT SHE HAD GENE-CORRECTED BLOOD CELLS IN HER
18 BLOODSTREAM.

19 (PAUSE IN PROCEEDINGS)

20 THE WITNESS: AND . . . AND THAT THE NUMBER OF
21 GENE-CORRECTED CELLS INCREASED. EACH TIME WE GAVE HER AN
22 INFUSION OF GENE-CORRECTED CELLS, THE VALUE -- VALUE WENT UP.

23 AT THIS POINT, SHE IS -- HER PARENTS ARE DELIGHTED WITH
24 HER. SHE IS THE -- IT'S THE FIRST TIME THAT SHE HAS REALLY BEEN
25 HEALTHY FOR . . . HAS GONE FOR A LONG PERIOD OF TIME WITHOUT

9 1 GETTING SICK AND GETTING AN INFECTION. SHE HAS A NORMAL IMMUNE
2 CELL COUNT FOR THE FIRST TIME IN HER LIFE. HER VARIOUS IMMUNE
3 STUDIES ARE ALL -- ARE ALL IMPROVING.

4 WE REALLY ARE VERY PLEASED. IT DOES LOOK LIKE GENE
5 THERAPY IS WORKING.

6 AND, AS I MENTIONED BEFORE, WE'VE STARTED OUR SECOND
7 PATIENTS. WE STARTED TWO CANCER PATIENTS. AND WE ARE REALLY
8 VERY HOPEFUL THAT WE'RE GOING TO BE ABLE TO DEVELOP REALLY NEW
9 TREATMENTS FOR PRESENTLY INCURABLE DISEASES.

10 MR. PASAHOW: THAT CONCLUDES MY DIRECT EXAM, YOUR
11 HONOR.

12 CROSS-EXAMINATION

13 BY MR. FIGG:

14 Q. GOOD MORNING, DR. ANDERSON.

15 A. HI, MR. FIGG.

16 (PAUSE IN PROCEEDINGS)

17 Q. (BY MR. FIGG) DR. ANDERSON, THIS USE OF PCR THAT YOU
18 REFERRED TO --

19 A. UH-HUH.

20 Q. -- DO I UNDERSTAND THAT YOUR FIRST PUBLICATION ON THE USE OF
21 PCR WAS IN AN ARTICLE -- THE FIRST AUTHOR WAS KANTOFF, IN 1989?

22 A. THE ANSWER IS YES. KANTOFF HAD MORE THAN ONE, BUT, YES,
23 WE'RE REFERRING TO THE SAME THING.

24 Q. OKAY. AND THAT WAS A PAPER REPORTING THE WORK THAT YOU HAD
25 DONE IN COLLABORATION WITH DRS. ERLICH AND SNINSKY AND OTHERS AT

10

1 CETUS?

2 A. THAT IS CORRECT.

3 Q. AND YOU DIDN'T USE THE PCR TECHNIQUE PRIOR TO THE WORK
4 REPORTED IN THAT PAPER; IS THAT CORRECT?

5 A. THAT IS CORRECT, YES.

6 Q. AND ALL OF THE WORK DONE IN THAT PROJECT THAT'S REPORTED IN
7 THAT PAPER WAS DONE AT CETUS, ALL OF THE PCR WORK.

8 A. ALL OF THE P -- YES, YES, SIR.

9 Q. AS I RECALL, THAT PCR WORK IN THAT PAPER INVOLVED 35 CYCLES
10 OF HEATING, COOLING, PRIMER EXTENSION, SO FORTH?

11 A. I DON'T REMEMBER EXACTLY, BUT THAT SOUNDS RIGHT, YES, SIR.

12 Q. UH-HUH. AND THAT WORK USED THIS ENZYME THAT WE'VE HEARD
13 ABOUT BEFORE, THE TAQ POLYMERASE ENZYME?

14 A. YES, SIR.

15 Q. AND TAQ POLYMERASE IS A SPECIAL FORM OF AN ENZYME THAT'S
16 RESISTANT TO HEAT?

17 A. YES.

18 Q. AM I CORRECT THAT YOU'VE NEVER USED PCR EMPLOYING AN ENZYME
19 OTHER THAN TAQ?

20 A. IN OUR LABORATORY, THAT IS CORRECT, YES, SIR.

21 Q. YOU'VE NEVER DONE IT USING THE E. COLI POLYMERASE ENZYME
22 THAT WE'VE HEARD TESTIMONY ABOUT BEFORE.

23 A. THAT IS CORRECT, YES, SIR.

24 Q. AND THIS PCR THAT WAS DESCRIBED IN YOUR PUBLICATION AND
25 WHICH YOU'RE USING IN YOUR OWN WORK IS DONE ON THIS INSTRUMENT

10

1 CALLED A THERMOCYCLER?

2 A. YES.

3 (PAUSE IN PROCEEDINGS)

4 Q. (BY MR. FIGG) IN YOUR GENE THERAPY WORK THAT YOU -- YOU'VE
5 DESCRIBED, WHICH SOUNDS LIKE VERY EXCITING WORK, WOULD -- WOULD
6 A PCR REACTION INVOLVING TWO OR THREE OR FOUR CYCLES BE OF MUCH
7 ASSISTANCE TO YOU IN THAT WORK?

8 A. WELL, THAT'S AN INTERESTING THOUGHT. TWO OR THREE OR FOUR.

9 YES, I THINK SO. CERTAINLY, TO BE ABLE TO GO OUT TO 15
10 OR 20 IS -- IS BETTER, BUT . . . BUT . . . IF ONE HAD -- HAD
11 PCR, THAT -- THAT WAS WHAT THE OPTIMAL IS, AND THAT IS, YOU GET
12 TWO, FOUR, EIGHT, 16. THAT WOULD MEAN THAT, AT FOUR CYCLES,
13 YOU'D BE UP A LOG, A LITTLE OVER A LOG, AND THAT WOULD BE . . .
14 THAT WOULD MEAN, THEN, THAT YOU COULD DETECT -- IF YOU WERE JUST
15 UNDER THE RANGE OF DETECTION WITH A SOUTHERN BLOT, YOU COULD
16 THEN PICK IT UP WITH A -- WITH A FOUR-CYCLE PCR.

17 SO, YES, THAT WOULD BE HELPFUL.

18 Q. I UNDERSTOOD YOU TO SAY THAT YOU'RE -- THE SOUTHERN BLOT
19 LIMITATION IS SOMEWHERE IN THE RANGE OF ONE IN TEN TO ONE IN 20.

20 A. YES.

21 Q. AND WHAT YOU NEED FOR YOUR WORK GOES UP TO ONE IN A HUNDRED
22 THOUSAND.

23 A. WE WOULD LIKE TO HAVE SOMETHING THAT GOES ONE IN A BILLION,
24 BECAUSE -- BECAUSE WHAT HAPPENS IS THAT . . . AT LEAST IN THE
25 MARKER STUDIES IN OUR CANCER PATIENTS, IS THAT THE CELLS BECAME

10

1 UNDETECTABLE AFTER ABOUT THREE WEEKS, BUT ONLY UNDETECTABLE IN
2 ONE TO A HUNDRED THOUSAND. AND IF WE HAD A PROCEDURE THAT COULD
3 DO ONE IN A MILLION, ONE IN A BILLION, THAT WOULD BE EVEN
4 BETTER.

5 Q. UH-HUH. AND THIS -- THIS --

6 A. LET -- CAN I EXPLAIN WHY?

7 Q. SURE.

8 A. OKAY. THE REASON WHY IS THAT, SEE, WHAT -- WHAT YOU'RE
9 TRYING TO DO IS TO -- IS TO TRANSFER GENES AS EFFICIENTLY AS YOU
10 CAN. AND WHEN YOU'RE BELOW YOUR DETECTION LEVEL, YOU DON'T
11 KNOW, WHEN YOU CHANGE A VARIABLE, IF YOU'VE HELPED AT ALL.

12 SO IF YOU'RE -- IF YOU'RE DOWN EVEN AT ONE IN A BILLION
13 AND YOU DO SOMETHING AND NOW IT GOES UP TO -- TO ONE IN A
14 MILLION, WELL, THAT'S -- THAT'S VERY HELPFUL AND YOU KNOW -- YOU
15 KNOW YOU'RE ON THE RIGHT TRACK.

16 BUT IF YOU CAN'T DETECT IT AT ALL, THEN YOU DON'T KNOW,
17 IF YOU CHANGED SOMETHING UP OR DOWN, WHAT'S HAPPENING.

18 SO -- SO THAT'S THE REASON WHY -- WHY THE MORE
19 SENSITIVE IT IS, THE MORE USEFUL FOR OUR PURPOSES.

20 Q. UH-HUH.

21 (PAUSE IN PROCEEDINGS)

22 Q. (BY MR. FIGG) I BELIEVE THE NEXT PAPER THAT YOUR LABORATORY
23 PUBLISHED THAT INVOLVED THE USE OF PCR WAS ONE BY MORGAN,
24 CORNETTA AND ANDERSON?

25 A. YES.

10

1 Q. AND THAT ONE WAS PUBLISHED IN 1990.

2 A. YES, SIR.

3 Q. THAT ONE ALSO USED THE TAQ POLYMERASE --

4 A. YES.

5 Q. -- THE SPECIAL FORM OF ENZYME?

6 A. YES.

7 Q. AND IT ALSO USED THE THERMOCYCLER?

8 A. YES.

9 Q. AND IT ALSO USED SOMEWHERE ON THE ORDER OF 30 CYCLES OF
10 AMPLIFICATION.

11 A. YES. WE'VE DROPPED IT TO 25 NOW, BUT, YES.

12 Q. YOU'VE NEVER USED AN AMPLIFICATION GOING THROUGH ONLY THREE
13 OR FOUR CYCLES; HAVE YOU?

14 A. (SHAKING HEAD.) NO, SIR.

15 NOW . . . I'M . . . ONE OF THE THINGS WHICH DR. MORGAN
16 DID IN CHARACTERIZING THE SYSTEM, OPTIMIZING THE SYSTEM IN OUR
17 LAB, WAS TO GO THROUGH AND -- AND RUN IT AT VARIOUS LENGTHS OF
18 CYCLE AND TO PLOT IT. SO, TECHNICALLY, YES, IT'S BEEN DONE, BUT
19 ONLY AS PART OF AN OPTIMIZATION EXPERIMENT, NOT -- NOT IN
20 REALITY.

21 Q. IT WAS A MULTIPLE CYCLE REACTION THAT YOU WERE JUST
22 MONITORING THE PROGRESS OF.

23 A. YES, BUT -- I MEAN, IT WAS MONITORING IT SIMPLY SO, IN OUR
24 OWN HANDS, WE SAW WHAT HAPPENED AFTER TWO CYCLES, SIX CYCLES,
25 TEN CYCLES, 20. JUST TO PLOT THE CURVE.

11

1 Q. SURE.

2 A. A ONE-TIME --

3 Q. I UNDERSTAND.

4 A. A ONE-TIME EXPERIMENT.

5 (PAUSE IN PROCEEDINGS)

6 Q. (BY MR. FIGG) AND I UNDERSTAND YOUR LABORATORY -- WELL,
7 YOU'VE ALREADY TESTIFIED THAT YOUR LABORATORY HAS COLLABORATED
8 WITH THE CETUS SCIENTISTS TO -- TO BASICALLY LEARN HOW TO USE
9 THE PCR TECHNIQUE TO OPTIMUM EFFICIENCY, I GUESS.

10 A. YES.

11 Q. AND YOU'VE ADOPTED PROTOCOLS AND PROCEDURES THAT HAVE BEEN
12 DEVELOPED WITHIN THE CETUS LABORATORY.

13 A. YES.

14 Q. AND THOSE PROTOCOLS AND PROCEDURES THAT YOU'VE ADOPTED ARE
15 THINGS THAT GO BEYOND WHAT ARE DESCRIBED IN, FOR EXAMPLE, THE
16 SAIKI PAPER THAT YOU REFERRED TO.

17 A. YES.

18 Q. AND THEY GO BEYOND THE THINGS THAT ARE DESCRIBED IN THE '202
19 PATENT.

20 A. YES.

21 (PAUSE IN PROCEEDINGS)

22 Q. (BY MR. FIGG) IN THESE PCR EXPERIMENTS -- LET ME -- LET ME
23 FOCUS ON ANOTHER ONE OF YOUR PAPERS:

24 THE NEXT PAPER, I THINK, THAT CAME OUT FROM YOUR LAB
25 THAT DESCRIBES -- OR REFERS TO THE USE OF PCR WAS ONE IN WHICH

11

- 1 DR. ROSENBERG WAS THE FIRST AUTHOR?
- 2 A. YES.
- 3 Q. IT LOOKS LIKE IT HAS ABOUT 15 AUTHORS.
- 4 A. I THINK IT'S 18. YES, THAT'S RIGHT.
- 5 Q. AND THAT ONE WAS ALSO PUBLISHED IN 1990.
- 6 A. YES.
- 7 Q. AND THESE TECHNIQUES THAT YOU'RE PUBLISHING ON USE GENOMIC
- 8 DNA AS THE TARGET; IS THAT RIGHT?
- 9 A. YES.
- 10 Q. AND GENOMIC DNA IS ALL OF THE DNA IN THE CELLS.
- 11 A. THAT IS CORRECT.
- 12 Q. SO WE'VE HEARD DR. KORNBERG TESTIFY, I THINK, THAT THE
- 13 ESTIMATES ARE THAT THERE ARE BETWEEN 10,000 AND A HUNDRED
- 14 THOUSAND GENES IN EACH OF THE CELLS.
- 15 A. YES, I AGREE WITH THAT.
- 16 Q. YEAH.
- 17 YOU'VE NEVER USED THE PCR TECHNIQUE ON A SINGLE
- 18 MOLECULE; HAVE YOU?
- 19 (PAUSE IN PROCEEDINGS)
- 20 Q. (BY MR. FIGG) IN OTHER WORDS, A DISCRETE DNA SEQUENCE.
- 21 A. A PURE MOLECULE --
- 22 Q. RIGHT.
- 23 A. -- OF A FRAGMENT.
- 24 Q. RIGHT.
- 25 A. EXCEPT FOR CHARACTERIZATION KINDS OF STUDIES, NO.

11

1 Q. NOW, YOU TESTIFIED ABOUT YOUR FAMILIARITY WITH THE
2 HYBRIDIZATION TECHNIQUE.

3 A. YES.

4 Q. YOU REFERRED SPECIFICALLY TO SOUTHERN BLOTTING.

5 A. YES.

6 Q. INCIDENTALLY, DOCTOR, HAVE YOU REVIEWED THE PATENTS THAT ARE
7 IN ISSUE IN THIS LAWSUIT, THE '202 AND THE '195 PATENTS?

8 A. I DID SEVERAL MONTHS AGO PRIOR TO GIVING A DEPOSITION. I'M
9 NOT A PATENT EXPERT --

10 Q. NO, I UNDERSTAND.

11 A. -- BUT, YES, I THINK THE -- THE . . . THE RELEVANT PARTS OF
12 THE PATENTS I THINK I'M FAMILIAR WITH.

13 Q. WELL, JUST AS A BACKGROUND, IS -- DO YOU UNDERSTAND THAT THE
14 '195 PATENT CLAIMS A PROCESS FOR FIRST AMPLIFYING WITH PCR AND
15 THEN DETECTING WITH HYBRIDIZATION?

16 A. YES.

17 Q. AND THIS DETECTING WITH HYBRIDIZATION IS THE SAME DETECTION
18 TECHNIQUE THAT YOU WERE DISCUSSING WITH MR. PASAHOW; IS THAT
19 RIGHT?

20 A. THAT'S A LITTLE VAGUE, BUT WE KNOW WHAT WE'RE TALKING ABOUT,
21 YES.

22 Q. YEAH. WELL, IN OTHER WORDS, YOU TAKE A PIECE OF DNA --

23 A. YES.

24 Q. -- THAT'S BEEN LABELED WITH SOMETHING --

25 A. RIGHT.

11

1 Q. -- AND YOU DETERMINE WHETHER OR NOT IT WILL HYBRIDIZE --

2 A. YES.

3 Q. -- TO A SAMPLE.

4 A. UH-HUH.

5 Q. AND YOU -- YOU'VE USED HYBRIDIZATION TO DETECT THE PRESENCE
6 OF SPECIFIC DNA SEQUENCES IN MIXTURES OF DNA FOR SEVERAL DECADES
7 NOW, I GUESS. AT LEAST SINCE THE MID-'70'S.

8 A. OKAY. THE -- USED WHAT?

9 Q. HYBRIDIZATION.

10 A. OH, HYBRID -- OH, YES, YES. FOR . . . THIS IS -- SINCE '71.
11 20 YEARS, YES.

12 Q. UH-HUH. NOW, AM I CORRECT THAT YOU -- BACK IN THE
13 MID-'70'S, YOU WERE STUDYING TRANSFER OF GENES INTO CELL
14 CULTURES THAT YOU COULD GROW IN PETRI DISHES?

15 A. YES, SIR.

16 Q. AND ONE OF THE TECHNIQUES YOU USED WAS TO . . . CLONE A GENE
17 INTO A CELL, IF I CAN USE THAT TERM; IS THAT RIGHT?

18 A. IT'S NOT QUITE RIGHT, BECAUSE CLONE -- AS YOU KNOW, THERE'S
19 SEVERAL WAYS OF CLONING GENES.

20 BUT GO AHEAD. I THINK THE ANSWER IS GOING TO END UP
21 BEING YES, IN ANY CASE.

22 Q. WELL, YOU INSERT A GENE INTO A CELL.

23 A. YES. INSERT A GENE INTO A CELL, YES, THAT'S RIGHT.

24 Q. AND THE POINT OF THAT IS, THE GENE WILL REPLICATE AS THE
25 CELL REPLICATES.

12

12

1 A. YES, EXACTLY RIGHT.

2 Q. AND AM I CORRECT THAT YOU WOULD THEN GROW THOSE CELLS AND
3 AMPLIFY THEIR NUMBERS?

4 A. THAT IS CORRECT.

5 Q. AND IN AMPLIFYING THEIR NUMBERS, YOU WERE AMPLIFYING THAT
6 GENE THAT YOU INSERTED INTO IT.

7 A. THAT IS CORRECT. IT'S A FORM OF AMPLIFICATION, CORRECT.

8 Q. AND ONE OF THE REASONS THAT YOU AMPLIFIED THE GENE WAS SO
9 THAT YOU COULD THEN INCREASE THE SENSITIVITY OF YOUR
10 HYBRIDIZATION TECHNIQUE.

11 A. THAT IS EXACTLY RIGHT. IF YOU MICROINJECT A GENE INTO ONE
12 CELL, THAT IS -- THAT IS VERY DIFFICULT. IT CAN ACTUALLY BE
13 DONE NOW WITH PCR, BUT CERTAINLY NOT AT THAT POINT.

14 BUT THEN, BY HAVING THE CELL GROW TO A BILLION CELLS,
15 IT IS -- NOW YOU CAN USE A SIMPLE SOUTHERN BLOT, AND IT WORKS
16 FINE.

17 Q. YES. SO YOU, IN EFFECT, BACK IN THE '70'S WERE COMBINING AN
18 AMPLIFICATION PROCEDURE WITH A PROBE HYBRIDIZATION --

19 A. OH, YES.

20 Q. -- DETECTION PROCEDURE.

21 A. OH, YES. THAT IS PROBABLY THE -- HOW CAN I PUT IT? -- THE
22 CENTRAL PART OF -- FOR THE LAST 15 YEARS OF MY LABORATORY, YES.

23 Q. DOCTOR, IN YOUR OPINION, WOULD IT HAVE BEEN OBVIOUS TO ONE
24 OF YOUR POST-DOCTORAL STUDENTS BACK IN THE LATE '70'S, HAD THAT
25 STUDENT KNOWN ABOUT WHAT WE'RE NOW CALLING PCR --

12

1 A. UH-HUH.

2 Q. -- WOULD IT HAVE BEEN OBVIOUS TO HAVE USED PROBE
3 HYBRIDIZATION TO DETECT THE PRODUCT RESULTING FROM PCR?

4 A. YES.

5 (PAUSE IN PROCEEDINGS)

6 Q. (BY MR. FIGG) NOW, YOU REFERRED TO YOUR READING OF VARIOUS
7 LITERATURE FROM DR. KHORANA'S LABORATORY.

8 I TAKE IT THAT YOU DON'T HAVE A SPECIFIC RECOLLECTION
9 TODAY THAT YOU READ THE KLEPPE AND PANET PAPERS BACK IN 1972,
10 1974.

11 A. THAT IS CORRECT. I'VE -- I'M SURE I DID, BECAUSE I READ
12 EVERY PAPER AS IT CAME OUT, UNTIL WE CAME CONVINCED OR I BECAME
13 CONVINCED THAT RECOMBINANT DNA WOULD BE THE TECHNOLOGY. THEN I
14 STOPPED DOING THAT.

15 AS YOU KNOW, DR. KHORANA PUBLISHED MANY, MANY PAPERS.
16 BUT I DO NOT HAVE A SPECIFIC RECOLLECTION OF THE KLEPPE AND
17 PANET PAPERS.

18 Q. YES, SIR.

19 AND WHEN YOU SAY RECOMBINANT DNA, YOU'RE TALKING
20 ABOUT -- USING A SIMPLER WORD, PERHAPS -- GENE CLONING.

21 A. YES. GENE CLONING, YES.

22 Q. YES.

23 A. YES.

24 Q. AND YOU RECOGNIZED THAT GENE CLONING WAS GOING TO BE A -- AN
25 EFFECTIVE WAY OF AMPLIFYING DNA IN LIVING CELLS.

12

1 A. THAT'S NOT QUITE CORRECT. A . . . GENE CLONING TO AMPLIFY
2 DNA IS DONE IN BACTERIAL CELLS AND -- AND, YES, THAT'S A LIVING
3 CELL, BUT ONE USUALLY REFERS TO LIVING CELLS AS BEING ANIMAL
4 CELLS, AND ONE DOES NOT TECHNICALLY AMPLIFY -- DOES NOT USE THE
5 CLONING PROCEDURES TO AMPLIFY A GENE IN A -- IN A MAMMALIAN
6 CELL.

7 Q. OKAY. YOU WERE . . . GOING BACK TO -- TO THE THINGS FROM
8 DR. KHORANA'S LAB WHICH YOU'VE READ, DO YOU BELIEVE YOU READ DR.
9 KHORANA'S NSF GRANT APPLICATION?

10 A. OH, I'M SURE I DID NOT. I WOULD HAVE HAD NO . . . I --

11 Q. YOU WOULD HAVE HAD NO REASON TO HAVE READ THAT.

12 A. NOT ONLY NO REASON; I WOULD HAVE HAD NO OPPORTUNITY. IT
13 WOULD NOT HAVE BEEN APPROPRIATE.

14 Q. OKAY. DOCTOR, AM I -- DID I UNDERSTAND YOU CORRECTLY THAT
15 YOU -- IN YOUR OPINION, THE KHORANA PAPERS, THE PANET AND THE
16 KLEPPE PAPERS, DO DESCRIBE A SIMPLIFIED PCR TECHNIQUE?

17 A. NO.

18 (PAUSE IN PROCEEDINGS)

19 THE WITNESS: IN MY --

20 Q. (BY MR. FIGG) DID -- LET ME REPHRASE THE QUESTION.

21 A. OKAY.

22 Q. DO THEY DESCRIBE, IN A -- IN A VERY SIMPLIFIED WAY, WHAT --
23 WHAT NOW MAY BE CALLED PCR, WHAT WE NOW KNOW AS PCR?

24 A. NO, SIR, BECAUSE THEY . . . THEY REALLY LEAVE OUT A VITAL
25 INSIGHT -- IN FACT, THE VITAL INSIGHT THAT REALLY IS -- IS THE

12

1 CORE OF WHAT PCR REALLY IS.

2 AND LET ME EXPLAIN: THE . . . THE PROBLEM IS THAT THIS
3 IS WHAT YOU HAVE (INDICATING). THE SECOND STRAND CLAMPS DOWN
4 BEFORE THE FIRST STRAND CAN FINISH OUT (INDICATING).

5 AND WHAT PCR REQUIRES IS -- IS A -- IS AN INSIGHT THAT
6 KARY MULLIS HAD, AND THAT IS TO CREATE, FOR A LACK OF A BETTER
7 WORD, A TIME WINDOW.

8 NOW, WHAT'S A TIME WINDOW? A TIME WINDOW IS THIS:
9 THESE ARE DOUBLE-STRANDED DNA (INDICATING), COMES APART, COMES
10 BACK TOGETHER (INDICATING). THAT HAPPENS TOO FAST FOR THIS
11 (INDICATING) TO COME UP. MAYBE ONE IN A THOUSAND TIMES, MAYBE
12 ONE IN 10,000, MAYBE ONE IN A HUNDRED THOUSAND. BUT IT REALLY
13 IS NOT FAST ENOUGH.

13

14 HOW DO YOU KEEP THE SECOND STRAND FROM COMING OVER?
15 WELL, THE WAY THAT EVERYBODY TRIED TO DO IT, AS FAR AS I KNOW
16 FROM THE -- FROM THE PUBLICATIONS, IS TO -- IS TO SEPARATE THE
17 STRANDS, PUT THEM IN SEPARATE TEST TUBES.

18 WHAT DR. MULLIS DID WAS SOMETHING THAT I THINK IS
19 REALLY -- REALLY VERY BRILLIANT, AND THAT IS, HE CREATED A TIME
20 WINDOW.

21 WHAT HE DID ESSENTIALLY WAS THIS: INSTEAD OF THAT
22 (INDICATING), HE DID THIS (INDICATING). HE KINETICALLY MOVED
23 THE SECOND STRAND COMPLETELY AWAY FROM THE FIRST STRAND TO GIVE
24 IT LONG ENOUGH FOR THIS (INDICATING) TO COME TO THE END.

25 THAT IS THE -- IS THE -- IS THE VITAL INSIGHT, AND IF

13

1 IT DOESN'T HAVE THAT, IT ISN'T PCR.

2 NOW, HOW DID HE DO IT? THE WAY HE DID THIS WAS AS
3 FOLLOWS:

4 THE FIRST PROBLEM IS, IF YOU JUST THINK OF A WHOLE
5 BUNCH OF PEOPLE LINED UP AND THEY COME TOGETHER, AND YOU HEAT
6 AND THOSE STRANDS COME APART, YOU BUMP INTO THE NEXT PERSON.

7 WELL, THIS STRAND (INDICATING) IS JUST AS GOOD AS TO
8 HYBRIDIZE WITH AS THIS STRAND WAS (INDICATING).

9 SO THE FIRST . . . THE FIRST ELEMENT IS TO -- IS TO
10 DEVELOP THE CONDITIONS WHERE, WHEN THESE (INDICATING) COME
11 APART, THEY DON'T HIT ANYTHING ELSE. SO THEY SORT OF FLOAT OUT
12 LIKE THIS (INDICATING).

13 THE SECOND PART IS TO HAVE THE ENZYMES, THE -- THE
14 PRIMERS, THE NUCLEOTIDES LINED UP SO, AS SOON AS IT STARTS TO
15 COOL AGAIN, THERE IS -- THERE IS A WINDOW, SEE, BETWEEN THIS
16 (INDICATING) AND THIS (INDICATING). THAT'S THE TIME WINDOW.
17 AND IN THAT PERIOD OF TIME, TO HAVE EVERYTHING READY SO THAT
18 THIS STRAND (INDICATING) ZIPS UP.

19 THAT TIME WINDOW IS SECONDS TO MINUTES.

20 THAT IS A -- IS A CONCEPT THAT IS NOWHERE IN THE
21 LITERATURE. THAT -- IT'S MY OPINION THAT THAT WAS -- KARY
22 MULLIS WAS THE FIRST PERSON TO CONCEIVE OF THAT CONCEPT AND TO
23 CREATE THE CONDITIONS FOR A TIME WINDOW.

24 IT'S THIS (INDICATING) VERSUS THIS (INDICATING). AND
25 IT'S THIS WINDOW THAT ALLOWS PCR. WITHOUT THAT, IT IS NOT PCR.

13

1 DR. KHORANA, FROM WHAT I CAN GATHER, NEVER TAUGHT PCR;
2 NEVER CONCEIVED OF WHAT IS THE CRITICAL ELEMENT IN PCR.

3 Q. DOCTOR, THIS CRITICAL ELEMENT THAT YOU'RE REFERRING TO -- I
4 THINK YOU REFERRED TO TWO -- ONE IS DR. MULLIS, IN YOUR VIEW,
5 DEvised A WAY OF KEEPING THE TEMPLATE STRANDS APART?

6 A. ALL PART OF THE SAME THING.

7 Q. WELL, LET ME ASK YOU: WAS THAT ACCOMPLISHED BY ADJUSTING
8 THE CONCENTRATION?

9 A. NO. YOU SEE, WHAT MAKES IT --

10 Q. WELL --

11 A. WHAT MAKES THIS DIFFICULT TO UNDERSTAND -- AND I'VE BEEN
12 SITTING IN COURT LISTENING TO THIS. WHAT MAKES THIS
13 DIFFICULT -- AND I'M SURE THE JURY MUST BE CONFUSED: WHAT'S
14 PCR? WHAT'S NOT PCR?

15 PCR IS A THREE-DIMENSIONAL REACTION THAT TAKES PLACE
16 OVER TIME, SO IT'S REALLY FOUR DIMENSIONS. AND WHEN YOU TRY TO
17 EXPLAIN IT ON A TWO-DIMENSIONAL PIECE OF PAPER, OR A CHART, OR,
18 YOU KNOW, A VELCRO -- THAT FANCY WORD YOU'VE GOT, THAT I COULD
19 JUST SEE THE EDGE OF -- IT'S CONFUSING, BECAUSE -- BECAUSE YOU
20 HAVE TO -- TO VISUALIZE FOUR DIMENSIONS RATHER THAN TWO
21 DIMENSIONS.

22 SO ANY TIME THAT YOU SAY, "WELL, IS IT BECAUSE YOU HAVE
23 EXCESS PRIMER, OR IS IT CONCENTRATION," IT'S NOT. IT'S THE
24 WHOLE SET OF CONDITIONS. AND THOSE CONDITIONS CAN VARY. ANY
25 SINGLE ONE CAN VARY UP AND DOWN.

13

1 IT'S THE WHOLE SET THAT CREATES A TIME WINDOW. IT'S
2 THE TIME WINDOW THAT -- THAT IS CRITICAL.

3 SO IT'S NOT THE -- THE TWO THINGS. IT'S ALL ONE. BUT
4 EVERY PART OF THAT IS CRITICAL TO GET PCR TO WORK.

5 IT LOOKS GOOD ON A PIECE OF PAPER AND YOU CAN PUT
6 MODELS, BUT IT DOESN'T HAPPEN IN REALITY.

7 Q. SO THE CONCENTRATION IS CRITICAL, THE RATIO OF PRIMERS IS
8 CRITICAL, THE --

9 A. THE TEMPERATURE, THE TIMING --

10 Q. -- TEMPERATURE, ALL THE --

11 A. -- THE WAY YOU ADD THINGS, ALL OF -- IN A WAY TO PRODUCE THE
12 CONDITIONS SUCH THAT YOU DO GET TO THE END AND, THEREFORE,
13 TECHNICALLY SATISFY THE CLAIM THAT THE PRODUCT OF THE FIRST
14 THREE REACTIONS ACTS AS A TEMPLATE FOR THE SECOND. THAT'S THE
15 VITAL INSIGHT THAT HAS TO BE THERE OR IT'S NOT PCR.

16 Q. DOCTOR, GOING BACK TO MY ORIGINAL QUESTION:

17 DO YOU . . . DO YOU RECALL THAT I ASKED YOU A SIMILAR
18 QUESTION IN YOUR DEPOSITION?

19 A. YES, YOU CERTAINLY DID.

20 Q. I ASKED YOU:

21 "IS IT YOUR UNDERSTANDING THAT THE KHORANA
22 PAPERS THAT YOU HAVE REVIEWED FROM THE EARLY '70'S DO
23 DESCRIBE THE PCR REACTION?"

24 A. YES.

25 Q. AND DO YOU RECALL THAT YOUR ANSWER WAS:

14

14

1 "THEY DESCRIBE IN A VERY OVERSIMPLIFIED WAY
2 WHAT CAN NOW BE CALLED, BASED ON WHAT WE NOW KNOW,
3 PCR."

4 A. AND FURTHER THAN THAT, I SAID AT ANOTHER POINT THAT I
5 BELIEVE THAT DR. KHORANA HAD THE FULL CONCEPT IN HIS MIND. AND
6 ON TWO OTHER PLACES, IF YOU'LL NOTICE -- PAGE 63, 64, AND AGAIN
7 ON PAGE 103 -- I SAID I STILL DON'T BELIEVE PCR WORKS. I DON'T
8 UNDERSTAND IT.

9 Q. UH-HUH.

10 A. AND THAT WAS TRUE.

11 BUT WHAT HAPPENED WAS THAT, AFTER THAT DEPOSITION ON
12 NOVEMBER 4TH, AND I WENT BACK AND I REALIZED I WAS GOING TO BE
13 SITTING HERE IN A REAL COURT -- I'VE NEVER BEEN IN A REAL COURT
14 BEFORE. THIS IS THE FIRST TIME. IT'S REALLY SORT OF NEAT WITH
15 A JUDGE HERE, AND A JURY AND EVERYTHING.

16 (LAUGHTER)

17 THE WITNESS: AND I REALIZED THAT I WAS GOING TO BE
18 FACING YOU, AND YOU'RE A BRILLIANT CROSS-EXAMINER, AND HERE, I'M
19 SUPPOSED TO BE UP HERE TALKING ABOUT PCR AND I DON'T EVEN
20 UNDERSTAND IT.

21 SO I REALLY STARTED TO THINK ABOUT IT. AND I -- AND I
22 ACTUALLY TALKED WITH -- WITH KARY MULLIS AND OTHERS, AND I
23 FINALLY REALIZED WHAT I DIDN'T UNDERSTAND, AND THAT IS THIS
24 WHOLE CONCEPT OF A TIME WINDOW THAT ONLY LASTS SECONDS TO
25 MINUTES.

14

1 AND SO -- SO, YES, I AM CHANGING WHAT I SAID IN THE
2 DEPOSITION. I DO NOT BELIEVE THAT DR. KHORANA EVER UNDERSTOOD
3 THAT CONCEPT, AND I DID NOT UNDERSTAND THAT CONCEPT, AND THAT'S
4 WHY I SAID I DON'T BELIEVE IT.

5 Q. SO YOU'VE -- YOU'VE LEARNED ABOUT ALL OF THAT SINCE -- SINCE
6 WE HAD OUR DEPOSITION.

7 A. WELL, "LEARNED" ISN'T QUITE RIGHT. I'VE BEEN IN COMPLETE
8 TEST HYBRIDIZATION FOR 20 YEARS. I'VE PUBLISHED PAPERS ON
9 THEORETICAL ASPECTS, PRACTICAL ASPECTS.

10 BUT, YOU KNOW, YOU DON'T REALLY SIT DOWN AND THINK
11 ABOUT SOMETHING -- I MEAN REALLY THINK ABOUT IT -- UNTIL YOU
12 HAVE TO. AND FACING YOU MEANT I HAD TO.

13 SO -- SO -- SO, YES, "LEARNED" IN THE SENSE OF
14 APPRECIATED WHAT THAT -- WHAT THAT -- THAT VITAL INSIGHT WAS.
15 YES, THAT IS TRUE.

16 Q. WELL, DOCTOR, HAVE YOU READ THE '202 PATENT TO SEE IF YOU
17 CAN FIGURE OUT PRECISELY WHAT THIS COMBINATION OF CONDITIONS IS?

18 A. YES. I -- I'VE -- I DID THAT ONCE I . . . REALIZED THAT I'D
19 READ IT THE FIRST TIME NAIVELY. I MEAN, I HADN'T APPRECIATED
20 WHAT WAS THERE.

21 Q. WOULD YOU AGREE WITH ME THAT EACH OF THOSE CRITICAL
22 CONDITIONS THAT YOU'RE REFERRING TO IS NOT SPELLED OUT IN ANY
23 WAY IN ANY OF THE CLAIMS OF THE '202 PATENT?

24 A. NO. WHAT THE -- WHAT THE CLAIMS OF THE '202 PATENT SAY IS
25 IN THE -- IN THE FIRST A, 1-A, IT SAYS "FOR CONDITIONS THAT WILL

14

1 GIVE" . . . THAT WILL GIVE THE PRODUCT OF THE FIRST REACTION
2 ACTING AS TEMPLATE FOR THE SECOND. THEN DOWN IN C, IT SAYS THAT
3 THE PRODUCT DOES ACT AS A TEMPLATE.

4 NOW, THE ONLY WAY THAT CAN HAPPEN IN REALITY IS TO
5 HAVE -- IS TO HAVE A TIME WINDOW. YOU'VE GOT TO KEEP THE OTHER
6 STRAND AWAY.

7 SO THOSE CONDITIONS . . . THE CLAIM SAYS THOSE
8 CONDITIONS, AND THE ONLY WAY YOU CAN DO IT IS TO GET THE STRAND
9 OUT OF THERE.

10 Q. UH-HUH.

11 A. AND THE EXAMPLES THEN EXPLAIN EXACTLY HOW TO DO THAT.

12 Q. SO THE CLAIM SAYS "UNDER ANY CONDITIONS THAT WORK."

13 A. UNDER ANY CONDITIONS THAT -- THAT WILL GIVE YOU A TIME
14 WINDOW, YES, YES. TO MY MIND -- AND MAYBE THIS ISN'T WHAT
15 OTHERS THINK, BUT TO MY MIND, THAT -- THAT IS WHAT PCR IS.
16 THAT'S WHAT -- THAT'S WHAT DR. MULLIS TAUGHT THAT NO ONE ELSE
17 HAS EVER TAUGHT.

18 AND I'LL CHANGE SOMETHING ELSE THAT I SAID IN MY
19 DEPOSITION: YOU WENT THROUGH AND SAID COULD --

20 Q. WELL, MAYBE WE SHOULD JUST PROCEED --

21 A. OH, I'M SORRY.

22 Q. -- ON A QUESTION AND ANSWER BASIS HERE, DOCTOR.

23 A. OKAY. I'M SORRY.

24 Q. MAYBE THE NEXT QUESTION I'M GOING TO ASK YOU WILL BE
25 SOMETHING YOU WANT TO CHANGE.

14

1 A. OKAY.

2 Q. DOCTOR, YOU REFERRED TO THIS -- THIS PROBLEM OF RENATURATION
3 KINETICS.

4 A. YES.

5 Q. AND I THINK THAT'S WHAT YOU'VE JUST BEEN DISCUSSING.

6 A. UH-HUH, YES.

7 Q. IN OTHER WORDS, THE QUESTION OF WHETHER YOU CAN GET THE
8 PRIMERS ANNEALED TO THE TEMPLATE BEFORE THE TEMPLATES RE-ANNEAL.

9 A. NO. NO, NOT THE PRIMER ANNEALED. THE PRIMER CAN ANNEAL
10 OKAY, BECAUSE YOU'VE GOT THE PRIMER AND THE TEMPLATE TOGETHER
11 BEFORE YOU EVER ADD THE ENZYME.

12 IT'S THAT -- IT'S THAT TIME FRAME. SEE, YOUR VELCRO
13 THING REALLY IS MISLEADING BECAUSE -- BECAUSE . . . I DON'T MEAN
14 DISHONESTLY, BUT IT'S MISLEADING, BECAUSE WHAT YOU HAD THERE,
15 FROM WHAT I COULD SEE LOOKING AROUND THE SIDE, IS, YOU'VE GOT
16 THE TEMPLATE, AND THEN YOU HAD YOUR PRIMER, AND THEN YOU HAD ALL
17 THE SUBSTRATE AS ONE UNIT THAT YOU JUST SORT OF SET IN THERE,
18 BUT THAT ISN'T WHAT HAPPENS.

19 YOU'VE GOT TO ADD ONE BASE AT A TIME. IT GOES ONE,
20 ONE --

21 Q. DID YOU HEAR --

22 A. -- AND IN THAT LENGTH OF TIME, THE TWO HAVE COME BACK
23 TOGETHER.

24 Q. DID YOU HEAR DR. KORNBERG'S EXPLANATION OF THAT CHART?

25 A. NO. I DID READ THROUGH HIS TESTIMONY, AND I FEEL DR.

15

15

1 KORNBERG -- DR. KORNBERG IS ONE OF MY IDOLS. HE IS ONE OF THE
2 GREAT SCIENTISTS IN THE LAST 40 YEARS.

3 Q. WELL --

4 A. IN ALL HONESTY, I DON'T THINK HE APPRECIATES THE TIME
5 WINDOW, I REALLY DON'T.

6 Q. YOU DID HEAR DR. KORNBERG EXPLAIN --

7 A. I DIDN'T HEAR; I READ.

8 Q. -- THAT THESE NUCLEOTIDES GO IN ONE AT A TIME.

9 A. OH, YES.

10 Q. WHEN HE WAS EXPLAINING THE CHART, HE EXPLAINED THAT THAT WAS
11 WHAT IT WAS.

12 A. OH, YES. BUT, NONETHELESS, IF I WAS SITTING IN THE JURY
13 BOX, AND YOU SAID EACH TIME HERE'S A PRIME, AND HERE IT IS TO
14 THE END, I THINK I COULD BE CONFUSED SAYING, "WELL, THIS IS --
15 ISN'T THIS REALLY PCR? ISN'T THIS REALLY THE FIRST STEP OF
16 PCR?", AND IT'S NOT.

17 Q. WELL, DOCTOR, I UNDERSTAND THAT, IN YOUR OWN WORK, YOU
18 HAVE -- BACK IN THE LATE '70'S, THAT YOU HAD DONE EXPERIMENTS OF
19 ANNEALING A PRIMER TO A STRAND OF --

20 A. OH, YES.

21 Q. -- DNA AND EXTENDING IT?

22 A. THOUSANDS OF TIMES, YES.

23 Q. AND YOU'VE DONE THAT WITH DOUBLE-STRANDED DNA.

24 A. YES.

25 Q. YOU DID IT WITH A SYSTEM FOR MAKING ONE OF THESE

15

- 1 HYBRIDIZATION PROBES; IS THAT RIGHT?
- 2 A. YES, INDEED. YES, INDEED.
- 3 Q. AND THE WAY YOU DID THAT EXPERIMENT WAS TO TAKE THE
- 4 DOUBLE-STRANDED DNA AND PUT IT IN A SOLUTION; IS THAT CORRECT?
- 5 A. UH-HUH. YES, UH-HUH.
- 6 Q. AND YOU HEATED IT --
- 7 A. UH-HUH.
- 8 Q. -- TO SEPARATE THE PRIME -- THE TEMPLATE STRANDS.
- 9 A. YEAH.
- 10 Q. AND YOU PUT PRIMER IN THERE.
- 11 A. YES.
- 12 Q. IN AN EXCESS.
- 13 A. YES.
- 14 Q. AND THE PRIMER ANNEALED.
- 15 A. YES.
- 16 Q. AND YOU PUT THE ENZYME IN.
- 17 A. YES.
- 18 Q. AND THE ENZYME EXTENDED --
- 19 A. NO.
- 20 Q. -- AND MADE A TEMPLATE.
- 21 A. (INDICATING). THAT'S WHAT HAPPENED. IT GOES PART WAY. YOU
- 22 CAN ALWAYS GET IT TO GO PART WAY, BUT IF YOU DON'T CREATE A TIME
- 23 WINDOW, IT ONLY GOES PART WAY.
- 24 Q. DOCTOR --
- 25 A. YOU NEVER GO TO THE END. YOU CANNOT HAVE PCR.

15

1 Q. THE POLYMERASE IN THOSE REACTIONS, YOU GOT THEM TO MAKE
2 PROBES THAT WERE UP TO A THOUSAND BASES IN LENGTH.

3 A. OH, SURE. OH, SURE. YOU CAN GET THEM -- YOU CAN GET THEM
4 TO GO TO A THOUSAND. IF YOU START WITH . . . WITH A TEMPLATE
5 THAT'S 1500, YOU CAN GO TO A THOUSAND, SURE.

6 Q. UH-HUH.

7 A. BUT YOU CAN'T GET TO THE END UNLESS YOU SEPARATE THAT
8 STRAND.

9 Q. YEAH. BUT YOU WERE ABLE TO GET IT TO GO TO A THOUSAND,
10 THOUGH.

11 A. OH, YES. OH, YES, YES.

12 Q. THE END OF THAT SITUATION -- OF THAT PARTICULAR EXPERIMENT
13 WE WERE TALKING ABOUT MIGHT BE SEVERAL MORE THOUSAND DOWN THE
14 WAY.

15 A. (NODDING HEAD.) YEAH, RIGHT.

16 Q. YEAH.

17 A. RIGHT. NO, THERE'S NO QUESTION THAT YOU CAN GET A
18 POLYMERASE TO MAKE A CHAIN THAT'S OUT HUNDREDS, A THOUSAND, THAT
19 IS CORRECT.

20 Q. AND --

21 A. THE REASON THAT -- THE REASON THAT THERE'S THESE PROBLEMS IN
22 VITRO -- "IN VITRO" IS A TECHNICAL TERM -- IN A TEST TUBE.
23 THESE ENZYMES AREN'T -- AREN'T CREATED BY . . . A BIOCHEMICAL
24 COMPANY. THEY EXIST IN THE CELLS OF OUR BODIES, IN ANIMAL
25 CELLS.

15

1 AND IN THAT ENVIRONMENT, THE ENZYMES ARE DESIGNED TO
2 WORK. WHEN YOU TAKE THEM OUT AND PURIFY THEM AND PUT THEM INTO
3 A TEST TUBE, THAT'S A VERY ARTIFICIAL ENVIRONMENT.

4 SO THAT -- THAT . . . EVEN THOUGH WHAT THEY -- THEY
5 WANT TO DO IS TO GO ALL THE WAY TO THE END, IT'S SUCH AN
6 ARTIFICIAL ENVIRONMENT, THEY DON'T DO IT UNLESS YOU CREATE
7 UNIQUE CONDITIONS TO -- TO LET THEM.

8 BUT THERE'S NO QUESTION YOU CAN GO A THOUSAND BASES
9 WITH THESE ENZYMES, YES.

10 Q. OKAY. THEY DIDN'T GO TO THE END OF THESE 4,000 OR 3,000 --

11 A. RIGHT, RIGHT.

12 Q. -- BUT THEY WENT A THOUSAND DOWN THE PIKE.

13 A. YES. YES.

14 Q. AND . . . YOUR POST-DOCTORAL STUDENTS WERE DOING -- WERE
15 CARRYING OUT THOSE EXPERIMENTS IN THE LATE 1970'S; IS THAT
16 RIGHT?

17 A. OH, YES.

18 Q. AND THEY WERE WORKING OUT THE CONDITIONS FOR DOING IT.

19 A. YES.

20 Q. AND AMONG THE CONDITIONS THEY WERE USING FOR THAT WERE
21 TO . . . AFTER ADDING THE PRIMERS AND DENATURING THE DNA, TO
22 QUICKLY CHILL THE DNA?

23 A. THAT'S -- YES, YES.

24 Q. AND THAT WAS A WELL-KNOWN TECHNIQUE --

25 A. YES.

16

1 Q. -- IN THOSE DAYS TO OPTIMIZE GETTING THE PRIMER TO STICK ON
2 THE --

3 A. YES.

4 Q. -- DOUBLE-STRANDED DNA.

5 A. YES. THAT GUARANTEES THE . . . YOU CAN NEVER, NEVER DO A
6 PCR BY CHILLING THE REACTION. THAT GUARANTEES THAT YOU'VE
7 CLOSED YOUR TIME WINDOW.

8 Q. SO IF YOU CHILL A PCR REACTION, IT WON'T WORK?

9 A. WELL, IT'S NOT A PCR REACTION ANYMORE, BECAUSE -- NO, IT
10 WON'T WORK, BECAUSE THERE'S NO TIME WINDOW, BUT -- BUT THOSE
11 CHAINS ARE ALREADY BACK TOGETHER.

12 NOW, IT DEPENDS -- DEPENDS WHAT YOU MEAN BY "CHILL," OF
13 COURSE. JUST LIKE EVERYTHING ELSE, ONE CANNOT FOCUS ON ONE
14 CONDITION, BECAUSE IT'S THE WHOLE GROUP.

15 YOU COULD, IN FACT, CREATE CONDITIONS SO YOU COULD --
16 YOU COULD CHILL IT AND STILL HAVE IT WORK AS A PCR REACTION, BUT
17 YOU'D HAVE TO MANIPULATE ALL THE OTHER CONDITIONS IN ORDER TO
18 TAKE THAT INTO ACCOUNT.

19 Q. DOCTOR, THE . . . POST-DOCTORAL STUDENTS WHO ARE WORKING
20 WITH THIS REACTION WE'VE BEEN TALKING ABOUT OF MAKING A PROBE
21 USING DOUBLE-STRANDED DNA --

22 A. UH-HUH.

23 Q. -- AND AN EXCESS OF PRIMERS --

24 A. UH-HUH.

25 Q. -- THEY WERE WORKING OUT THE CONDITIONS FOR THAT ON THEIR

16

1 OWN?

2 A. OH, YES. OH, YES. THOSE WERE SUFFICIENTLY ROUTINE THAT
3 THEY COULD GET IT OUT OF THE MANIATIS CLONING MANUAL; THEY COULD
4 GET IT OUT -- WELL, BEFORE THAT EXISTED, THERE WERE WORK SHEETS
5 AND ALL, YES.

6 (PAUSE IN PROCEEDINGS)

7 Q. (BY MR. FIGG) DOCTOR, IN YOUR OPINION, IF A POST-DOCTORAL
8 STUDENT HAD THE BASIC PRINCIPLE OF PCR IN THE LATE 1970'S, COULD
9 THAT STUDENT HAVE WORKED OUT THE CONDITIONS FOR DOING THE
10 REACTION ON A DOUBLE-STRANDED DNA A HUNDRED-BASE PAIRS IN
11 LENGTH?

12 A. THAT'S -- THAT'S AN INTERESTING QUESTION.

13 IF THEY HAD THE . . . I DON'T WANT TO USE TECHNICAL
14 TERMS, BECAUSE I'M NOT A PATENT PERSON, BUT, TO MY MIND, THE
15 INVENTIVE CONCEPT WAS THE TIME WINDOW, YES, BUT NOT WITH THE
16 INFORMATION THAT WAS IN THE LITERATURE.

17 AND WHAT -- I STARTED TO SAY THIS BEFORE AND YOU
18 STOPPED ME:

19 WHAT I SAID IN MY DEPOSITION, AS YOU KNOW, IS, I DID
20 BELIEVE THAT IN 1984, A POST-DOC IN MY LAB WITH ORDINARY SKILL
21 IN THE ART, IF WE HAD KNOWN THAT PCR WORKED, COULD HAVE WORKED
22 IT OUT.

23 I HAVE CHANGED MY MIND ON THAT. IT TAKES AN INVENTIVE
24 INPUT THAT IS REALLY EXTRAORDINARY. I THINK THAT WAS A STROKE
25 OF GENIUS.

16

1 Q. OKAY. WELL, IN ANY EVENT, WHEN WE -- WHEN WE CHATTED DURING
2 YOUR DEPOSITION IN NOVEMBER --

3 A. UH-HUH.

4 Q. -- YOU BELIEVED THAT, BASED ON THE LITERATURE AVAILABLE, A
5 POST-DOC COULD HAVE DONE THAT WITH ROUTINE OPTIMIZATION.

6 A. YES, BECAUSE -- BECAUSE I MYSELF DIDN'T APPRECIATE WHAT
7 THAT -- THAT TIME WINDOW MEANT.

8 Q. OKAY.

9 A. THAT'S RIGHT.

10 Q. AND --

11 A. I WOULD GUESS --

12 Q. -- PART --

13 A. SORRY.

14 Q. PART OF THIS PREPARATION THAT YOU REFERRED TO --

15 MR. PASAHOW: EXCUSE ME, YOUR HONOR. I THINK DR.
16 ANDERSON WAS STILL ANSWERING WHEN --

17 THE COURT: DID YOU FINISH YOUR LAST ANSWER?

18 Q. (BY MR. FIGG) I'M SORRY IF I INTERRUPTED YOU, SIR.

19 A. ACTUALLY, I DIDN'T, BUT I'VE FORGOTTEN WHAT -- THE LAST
20 THING I WAS SAYING. WHAT DID I SAY?

21 MR. FIGG: WELL, WHY DON'T WE LEAVE IT AT THAT, DR.
22 ANDERSON?

23 THANK YOU VERY MUCH.

24 THE WITNESS: OKAY. THANKS.

25 THE COURT: OKAY. THANK YOU.

16 1 MR. PASAHOW?

2 MR. PASAHOW: YES, YOUR HONOR. JUST A FEW THINGS.

3 REDIRECT EXAMINATION

4 BY MR. PASAHOW:

5 Q. YOU WERE TALKING ABOUT THESE REACTIONS WITH DOUBLE-STRANDED
6 DNA AND PRIMERS GOING THOUSANDS.

7 A. UH-HUH.

8 Q. NOW, IN THOSE SYSTEMS --

9 A. THOUSAND.

10 Q. A THOUSAND.

11 A. A THOUSAND, YES.

12 Q. IN THOSE SYSTEMS, WAS THERE ONE PRIMER OR TWO PRIMERS?

13 A. THOSE WERE ONE PRIMER. ONE REALLY WOULDN'T DO IT WITH TWO
14 PRIMERS, BECAUSE YOU'D JUST SORT OF GET A TANGLED MESS IF YOU
15 DID THAT, USING THOSE -- THOSE CONDITIONS.

16 Q. NOW, IF -- IF I COULD TAKE A PIECE THAT WERE 3,000 OF THESE
17 BASES LONG, THESE A'S AND C'S AND G'S AND T'S, AND GET THE
18 PRIMER TO GO A THOUSAND, COULD I TAKE A SHORT PIECE, SAY A
19 HUNDRED BASES LONG, AND COUNT ON THE PRIMER GOING TO THE END OF
20 THAT?

21 A. (SHAKING HEAD.)

22 THE PROBLEM IS EXACTLY THE SAME. IT DOESN'T MAKE ANY
23 DIFFERENCE IF IT'S THAT LONG (INDICATING), OR IF IT'S A
24 BASKETBALL PLAYER AND IT'S THAT LONG (INDICATING). IT TAKES A
25 FINITE LENGTH OF TIME TO MAKE, AND IT TAKES A LOT SHORTER TO DO

16

1 THIS (INDICATING) OR DO THAT (INDICATING).

2 NO, IT DOESN'T MAKE ANY DIFFERENCE HOW LONG THE
3 TEMPLATE IS.

4 Q. WHY IS THAT?

5 A. YOU WANT TO GO INTO THE DELTA-FREE ENERGIES AND ALL THAT?

6 Q. NO, I DON'T.

7 (LAUGHTER)

8 Q. (BY MR. PASAHOW) IS THERE ANY -- ISN'T THERE AN EXPLANATION
9 THAT WE WOULD UNDERSTAND THAT YOU COULD PROVIDE?

17

10 A. OH, YEAH. BECAUSE -- BECAUSE . . . THAT'S ONE NICE THING
11 ABOUT MR. FIGG'S VELCRO THING. I MEAN, WHAT YOU'RE TALKING
12 ABOUT -- AND I THINK THIS WAS MENTIONED. YOU . . . YOU -- THE
13 AFFINITY FOR COMING TOGETHER IS VERY STRONG. IT IS VERY STRONG.
14 I MEAN, I'M -- WE LIVE BECAUSE OF THAT. I MEAN, THAT'S OUR DNA.
15 THAT'S WHAT MAKES LIFE POSSIBLE.

16 AND SO THOSE STRANDS ARE SUPPOSED TO COME TOGETHER, AND
17 EVERY CELL DIVISION, THEY COME APART AND THEY COME BACK
18 TOGETHER. IT IS VERY EFFICIENT.

19 IT DOESN'T -- DNA DOESN'T WANT SOME TAQ POLYMERASE
20 COMING ON AND RUNNING -- IT DOESN'T WANT THAT. THAT'S NOT --
21 THAT'S NOT NORMAL. THAT'S NOT NATURAL.

22 SO, YES, THE -- ALL THE -- YOU KNOW, ONE CAN GO INTO
23 DELTA-FREE ENERGIES, BUT ALL OF THAT IS SIMPLY GIVING THE
24 CALCULATIONS OF WHY, IN NATURE, IT DOES THIS.

25 IT STAYS TOGETHER. IT ONLY COMES APART DURING CELL

17

1 DIVISION. IT'S NOT -- THESE STRANDS AREN'T SUPPOSED TO COME
2 APART. NATURE DIDN'T MAKE IT THAT WAY. WE -- WE FORCE IT TO DO
3 THAT, BUT THEY WANT TO COME BACK TOGETHER.

4 MR. PASAHOW: I HAVE NOTHING FURTHER, YOUR HONOR.

5 THE COURT: MR. FIGG.

6 RE CROSS-EXAMINATION

7 BY MR. FIGG:

8 Q. DR. ANDERSON, DO YOU BELIEVE A -- IF AN ORDINARY POST-DOC
9 HAD TRIED TO DO THIS EXPERIMENT IN 1984, THAT PERSON WOULD HAVE
10 CHOSEN THE KLENOW ENZYME AS THE ENZYME TO CARRY OUT THE
11 REACTION? THAT'S A REASONABLE ASSUMPTION; ISN'T IT?

12 A. YEAH, I THINK SO. YEAH.

13 Q. YEAH.

14 A. I THINK SO.

15 MR. PASAHOW: EXCUSE ME, YOUR HONOR. I THINK WE ARE
16 BEYOND THE SCOPE OF THE REDIRECT.

17 MR. FIGG: WELL, WE CERTAINLY ARE NOT, YOUR HONOR. IF
18 I CAN BE PERMITTED TO --

19 THE COURT: WE CERTAINLY ARE NOT?

20 MR. FIGG: WE ARE NOT.

21 THE COURT: THERE WASN'T MUCH ROOM FOR -- MUCH SCOPE
22 GIVEN --

23 MR. FIGG: WELL, THERE WAS QUITE A BIT.

24 THE COURT: -- BY MR. PASAHOW.

25 WHERE IS THIS GOING?

17

1 MR. FIGG: WELL, MY NEXT QUESTION MAY IDENTIFY THAT.

2 THE COURT: WELL, LET'S HEAR THE NEXT QUESTION, THEN.

3 Q. (BY MR. FIGG) DR. ANDERSON, IN THE REDIRECT EXAMINATION --

4 A. UH-HUH.

5 Q. -- YOU AGAIN REFERRED TO THE FACT THAT THE STRANDS ARE GOING
6 TO COME TOGETHER AFTER THE ENZYME -- AFTER THE PRIMER ANNEALS
7 AND AFTER THE ENZYME EXTENDS ITSELF ON THIS PRIMER-TEMPLATE
8 COMPLEX. IS THAT WHAT YOU'RE SAYING?

9 A. NO. SEE, AGAIN, THIS IS -- THIS IS A THREE-DIMENSIONAL
10 TAKING PLACE OVER TIME.

11 WHAT IS HAPPENING IS THAT TWO STRANDS, THEY COME APART.
12 AS SOON AS YOU START TO COOL, BEFORE YOU CAN -- BEFORE YOU EVEN
13 REACH THE TEMPERATURE THE PRIMERS OR ANYTHING CAN COME ON,
14 THEY'RE ALREADY COMING BACK TOGETHER.

15 SO THAT IT MIGHT TOUCH HERE (INDICATING), IT MIGHT
16 TOUCH THERE (INDICATING), IT MIGHT TOUCH UP THERE (INDICATING),
17 BUT IT'S ALREADY COMING BACK TOGETHER. YOU DON'T GET THE PRIMER
18 ON THE WAY YOU CAN DO IT ON, YOU KNOW, A PIECE OF PAPER. YOU
19 SAY, HERE'S THE PRIMER. IT DOESN'T HAPPEN THAT WAY.

20 Q. WELL, DOCTOR --

21 A. SO YOU'VE GOT TO GET THIS OTHER STRAND COMPLETELY AWAY.
22 YOU'VE GOT TO DO THIS TO IT. SO THIS IS ALL THAT EXISTS.

23 Q. WELL, DOCTOR, YOU TOLD ME THAT, IN THE EXPERIMENT YOU DID
24 WITH THE -- MAKING THE PROBE --

25 A. UH-HUH.

17

1 Q. -- THAT THE PRIMER DID ANNEAL TO THE TEMPLATE --

2 A. YES.

3 Q. -- AND --

4 A. I ASSUME YOU'RE NOT TALKING ABOUT THE DOUBLE-STRANDED.

5 Q. YES, I AM TALKING ABOUT THE DOUBLE-STRANDED.

6 A. OKAY. RIGHT. OKAY. YES.

7 NOW, WHAT HAPPENS THERE IS THAT -- IS THAT WHEN YOU
8 DENATURE, SO IT'S ALL SORT OF HERE (INDICATING), AND THEN YOU
9 QUIT COOL, IT'S ALL SORT OF (INDICATING) . . . SORT OF . . .
10 TANGLED UP.

11 BUT YOU'RE TALKING ABOUT BILLIONS AND TRILLIONS OF
12 MOLECULES. AND WHEN YOU ADD ENOUGH PRIMER, YOU WILL FIND
13 STRETCHES WHERE YOU COULD GO ON OUT AND MAKE 500, EVEN A
14 THOUSAND.

15 Q. UH-HUH.

16 A. BUT -- BUT THAT . . . THAT -- THE EFFICIENCY OF BEING ABLE
17 TO GO FROM THE BEGINNING TO THE END IS ESSENTIALLY ZERO,
18 UNLESS -- UNLESS YOU GET THAT SECOND STRAND OUT OF THERE. EVEN
19 IF IT'S ONLY FOR A FEW SECONDS, YOU'VE GOT TO GET IT OUT OF
20 THERE.

21 Q. IS WHAT YOU'RE SAYING, IS THAT THE PRIMER WILL ANNEAL UNDER
22 THOSE SITUATIONS --

23 A. TO SOME OF THE MOLECULES, YES, SIR.

24 Q. THAT YOU USED FOR MAKING YOUR PROBES.

25 A. YES, YES, ABSOLUTELY. IT WILL -- IT WILL ANNEAL TO SOME,

17

1 YES.

2 Q. AND, OBVIOUSLY, THE ENZYME FINDS THAT COMPLEX AND EXTENDS ON
3 THE PRIMER.

4 A. YES. IF YOU HAVE ANY EXTENSION, THEN THAT HAS TO HAVE
5 HAPPENED, YES.

6 Q. YEAH. AND THE KLENOW ENZYME HAS THE ABILITY TO DISPLACE
7 THAT OTHER TEMPLATE IF IT REANNEALS.

8 A. AH, NOW, THAT'S -- THAT'S --

9 Q. WELL, IS THAT TRUE OR IS THAT NOT TRUE?

18

10 A. WELL, IT DEPENDS ON THE CONDITIONS, AND ONE CAN CERTAINLY
11 SET UP NOT ARTIFICIAL CONDITIONS BECAUSE ALL CONDITIONS ARE
12 ARTIFICIAL, BUT CONDITIONS WHERE A KLENOW CAN -- CAN DEVELOP
13 ENOUGH ENERGY TO DISPLACE SOMEWHAT. BUT NOT WHEN YOU'RE TALKING
14 ABOUT TWO LONG STRANDS OF VELCRO THAT COME TOGETHER, NO, SIR.

15 Q. NOT TOO LONG STRANDS.

16 A. TWO, T-W-O, TOO, T-O-O. BOTH.

17 Q. I SEE.

18 A. THAT DOESN'T MAKE SENSE.

19 ANYWAY, TWO STRANDS WHICH ARE LONG SO THAT THE TOTAL
20 ENERGY OF THAT -- THE AFFINITY OF THOSE TWO IS BEYOND WHAT
21 KLENOW CAN SEPARATE.

22 Q. RIGHT. THE LONGER THE STRANDS ARE, THE MORE DIFFICULT IT
23 MIGHT BE FOR KLENOW TO SEPARATE THEM.

24 A. RIGHT.

25 Q. BUT IF THEY'RE SHORT STRANDS, IT'S NOT UNREASONABLE TO

18

1 EXPECT THAT KLENOW WILL DISPLACE THE STRANDS.

2 A. NO, NO. THE -- NO. IF THOSE STRANDS HAVE ALREADY STARTED
3 TO -- TO COME TOGETHER, AND THAT'S -- THAT TAKES SECONDS TO
4 MINUTES, KLENOW DOES NOT HAVE THE ABILITY, EXCEPT UNDER VERY,
5 VERY SPECIFIC CONDITIONS, TO BE ABLE TO DISPLACE.

6 (PAUSE IN PROCEEDINGS)

7 Q. (BY MR. FIGG) THE ABILITY OF KLENOW TO DISPLACE STRANDS OR
8 TO -- TO EXTEND AND DISPLACE A STRAND IN FRONT OF IT WAS KNOWN
9 CERTAINLY IN 1984; WASN'T IT?

10 A. OH, YES, INDEED. YES, INDEED.

11 Q. AND PEOPLE HAD UNDERSTOOD -- PEOPLE LIKE -- WELL, DNA
12 ENZYMOLOGISTS HAD STUDIED THE CONDITIONS UNDER WHICH THAT WOULD
13 OCCUR.

14 A. YES.

15 Q. AND THEY'D PUBLISHED THOSE CONDITIONS.

16 A. OH, ABSOLUTELY. AND THE CONCLUSIONS OF THAT ARE THAT --
17 THAT -- THAT THE KLENOW, IN THE FORCE IT GENERATES AS IT MOVES
18 DOWN THE TEMPLATE, CAN GENERATE A CERTAIN AMOUNT OF FORCE. BUT
19 THAT IS NOWHERE NEAR THE AMOUNT TO ACTUALLY SEPARATE TWO -- TWO
20 DOUBLE-STRANDED . . . THE TWO STRANDS OF A DOUBLE-STRANDED DNA.

21 Q. NOW, DOCTOR, BETWEEN THE TIME YOU'VE HAD YOUR DEPOSITION AND
22 TODAY WHEN YOU'VE CHANGED YOUR MIND ON THESE POINTS --

23 A. UH-HUH.

24 Q. -- THAT WE TALKED ABOUT IN YOUR DEPOSITION, YOU'VE HAD A
25 NUMBER OF MEETINGS WITH CETUS AND CETUS' LAWYERS, I PRESUME.

18

1 A. JUST SINCE I GOT HERE ON SUNDAY, YES.

2 MR. FIGG: YEAH. OKAY. THANK YOU, DOCTOR.

3 THE WITNESS: RIGHT. THANK YOU.

4 THE COURT: MAY THIS WITNESS BE EXCUSED WITHOUT BEING
5 SUBJECT TO BEING RECALLED?

6 MR. PASAHOW: YES, YOUR HONOR.

7 MR. FIGG: YES, YOUR HONOR.

8 THE COURT: THANK YOU, DR. ANDERSON.

9 THE WITNESS: THANK YOU.

10 THE COURT: YOU ARE EXCUSED.

11 THE WITNESS: THANK YOU.

12 (WITNESS EXCUSED)

13 MR. PASAHOW: EVERYONE WANTS TO LEAVE US.

14 (LAUGHTER)

15 MR. PASAHOW: OUR NEXT WITNESS IS DR. HENRY ERLICH.

16 THE COURT: OKAY. DO YOU THINK THAT THIS MIGHT --
17 MAYBE BEFORE -- YOU'RE GOING TO BE FAIRLY LENGTHY ON DIRECT?

18 MR. PASAHOW: YES, YOUR HONOR.

19 THE COURT: MAYBE WE OUGHT TO TAKE OUR RECESS NOW, AND
20 THEN START WITH HIS DIRECT EXAMINATION.

21 MR. PASAHOW: OH, OF COURSE, YOUR HONOR.

22 THE COURT: OKAY. SO WE'LL TAKE ABOUT 10, 15 MINUTES.

23 LADIES AND GENTLEMEN, WE'LL SEE YOU AT THE CLOSE OF THE
24 RECESS.

25 (JURY EXCUSED)

18

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(OPEN COURT, JURY NOT PRESENT:)

MR. FIGG: YOUR HONOR?

THE COURT: YES.

MR. FIGG: IF I MAY MENTION ONE THING DURING THE BREAK.

MR. PASAHOW INFORMED ME THIS MORNING THAT THEY LAUDABLY, I THINK, ARE CUTTING DOWN ON THEIR CASE CONSIDERABLY, AND WE MAY GET TO A SITUATION --

THE COURT: DID HE TAKE YOU BY SURPRISE AS MUCH AS HE DID ME --

MR. FIGG: YES.

THE COURT: -- WHEN HE FINISHED UP AS QUICKLY WITH DR. ANDERSON?

MR. FIGG: NOT ONLY THAT, BUT HE GAVE ME A COUPLE MORE SURPRISES, WHICH IS THAT THE LAST TWO WITNESSES MAY NOT BE CALLED AT ALL.

THE COURT: I SEE.

MR. FIGG: THIS PUTS US IN A SITUATION WHERE OUR REBUTTAL WITNESS IS -- TO BE HONEST, WE STILL HAVEN'T FIRMLY DECIDED ON WHO THEY'RE GOING TO BE IN TOTO, BUT WE DO KNOW ONE THING: THEY'RE OUT OF TOWN AND THEY WEREN'T EXPECTING TO BE HERE TOMORROW.

SO I JUST WANT TO BASICALLY SERVE WARNING, I GUESS, THAT WE MAY BE IN A SITUATION TOMORROW, IF WE FINISH UP WITH DR. ERLICH, THAT WE WON'T HAVE A WITNESS TO GO ON TOMORROW.

THE COURT: YOU HAVE DR. KLUG'S DEPOSITION YOU'RE GOING

18

1 TO DO?

2 MR. PASAHOW: WE DO INTEND TO DO THAT, FOR CERTAIN,
3 YOUR HONOR. AND HOW MUCH WE DO BEYOND THAT WILL DEPEND UPON
4 WHAT HAPPENS THE REST OF TODAY.

5 WHAT WE DID IS, IT BECAME CLEAR TO SEVERAL PEOPLE WHO
6 WERE WATCHING THAT THE JURY'S ATTENTION SPAN IS ENORMOUSLY
7 DECREASING.

8 THE COURT: THEY WERE GETTING A LITTLE GLAZED
9 YESTERDAY. WE WERE ALL GETTING A LITTLE GLAZED.

10 MR. PASAHOW: FOR UNDERSTANDABLE REASONS, YES, YOUR
11 HONOR.

12 AND SO WE HAVE -- WE HAVE TRIED TO MAKE SURE THAT WE
13 ARE DUPLICATING NOTHING AND DOING NOTHING UNNECESSARILY IN HOPES
14 OF MAKING SURE WE GET DONE NO LATER THAN TOMORROW.

15 MR. FIGG: THAT'S FINE.

16 THE COURT: WELL --

17 MR. FIGG: MY ONLY POINT WAS --

18 THE COURT: YOU DON'T WANT THE JURY TO BE UPSET.

19 MR. FIGG: -- WE WANT SOME INDULGENCE --

20 THE COURT: YOU DON'T WANT THE JURY TO BE UPSET THAT WE
21 CAN'T START RIGHT AWAY, SO I THINK YOU'D BETTER START WORKING
22 ON --

19

23 MR. FIGG: YES. WELL, WE HAVE BEGUN GETTING WORKING ON
24 IT, BUT GETTING PEOPLE HERE FOR TOMORROW WOULD BE DIFFICULT
25 BECAUSE WE THOUGHT MR. PASAHOW WOULD BE GOING TO TUESDAY.

19

1 THE COURT: WELL, DO YOU HAVE ANYONE LOCALLY THAT YOU
2 INTENDED TO CALL FOR --

3 MR. FIGG: WELL, WE PROBABLY WILL CALL DR. KORNBERG,
4 BUT, AS I UNDERSTAND, DR. KORNBERG IS INVOLVED IN SOME SEMINARS
5 OR ACTIVITIES THIS WEEK.

6 THE COURT: UH-HUH.

7 MR. FIGG: WE HAD TOLD HIM IT WOULD BE NEXT WEEK, SO --
8 WE TOLD EVERYBODY IT WOULD BE NEXT WEEK.

9 THE COURT: WELL, WHAT DO WE HAVE? TODAY IS WEDNESDAY
10 IN ANY EVENT, AND WE'RE NOT IN SESSION ON FRIDAYS, SO . . .

11 MR. FIGG: NOR MONDAY, I BELIEVE.

12 THE COURT: THAT'S RIGHT. MONDAY IS A HOLIDAY. I KNOW
13 THESE HOLIDAYS KEEP CREEPING IN.

14 MR. FIGG: IT SEEMS LIKE A HOLIDAY TIME OF THE YEAR.

15 THE COURT: WELL, LET'S SEE WHERE WE -- SEE IF YOU CAN
16 LINE UP SOMEONE, IF IT'S NECESSARY TO DO THAT, FOR TOMORROW.

17 MR. FIGG: WELL, WE'LL TRY, BUT I THINK IT WILL BE
18 QUITE DIFFICULT FOR US TO DO THAT --

19 THE COURT: OKAY.

20 MR. FIGG: -- BECAUSE, AS I SAY, WE WERE EXPECTING ALL
21 OF THIS TO COME ABOUT NEXT WEEK.

22 THE COURT: GIVEN THAT, I KNOW WHAT THE JURY'S NEXT
23 QUESTION IS GOING TO BE, NONETHELESS: WHAT IS THE BOTTOM LINE
24 IN ALL OF THIS?

25 MR. FIGG: RIGHT.

19

1 THE COURT: HOW LONG A REBUTTAL CASE DO YOU BELIEVE
2 YOU'LL PUT ON?

3 MR. FIGG: WE'RE THINKING PROBABLY NO MORE THAN TWO --
4 TWO SESSIONS, TWO DAYS.

5 THE COURT: AND THEN WHAT? ONE DAY MAYBE?

6 MR. PASAHOW: I'M NOT SURE WHO THE TWO DAYS -- TWO DAYS
7 OF WITNESSES ARE, SO I'M REALLY NOT IN A POSITION TO RESPOND.

8 MR. FIGG: WELL, I WILL CERTAINLY LET MR. PASAHOW KNOW
9 THAT.

10 THE COURT: CAN WE BE SURE THAT WE WILL BE FINISHED UP
11 BY NEXT THURSDAY? CAN WE DO THAT?

12 MR. FIGG: YES. WELL, I THINK --

13 THE COURT: BOTH SIDES?

14 MR. PASAHOW: WELL --

15 THE COURT: LET'S REALLY WORK ON THAT. IF THAT MEANS
16 TIGHTENING YOURS UP SO THAT THEY'RE NOT, YOU KNOW, LEFT OUT OF
17 HAND.

18 MR. FIGG: RIGHT.

19 MR. PASAHOW: IF WE FIND OUT WHO THE REBUTTAL WITNESSES
20 WILL BE, WE CAN CERTAINLY WORK ON THAT.

21 MR. FIGG: OKAY.

22 THE COURT: OKAY.

23 MR. FIGG: WELL, WE STILL HAVE A FEW WITNESSES TO GO
24 HERE, SO WE HAVEN'T FULLY DECIDED ON WHO THEY'RE GOING TO BE,
25 EITHER.

19 1 THE COURT: OKAY. WELL, ONLY TWO APPARENTLY.

2 MR. FIGG: RIGHT.

3 THE COURT: IS THAT CORRECT?

4 (PAUSE IN PROCEEDINGS)

5 MR. FIGG: WE'LL SETTLE ON THAT.

6 THE COURT: WELL, YOU'LL NEED TO MAKE SOME DECISIONS
7 THIS AFTERNOON ABOUT THAT.

8 MR. FIGG: RIGHT.

9 THE COURT: OKAY. FINE. ARE YOU GOING TO PROCEED WITH
10 DR. ERLICH AND THEN FINISH UP WITH THE -- THE KLUG DEPOSITION?

11 MR. PASAHOW: IF MR. FIGG DOES NOT SPEND THE REST OF
12 OUR TIME ON THE CROSS-EXAMINATION OF DR. ERLICH, YES, WE WILL GO
13 TO --

14 THE COURT: HOW LONG ARE YOU GOING TO BE WITH DR.
15 ERLICH ON DIRECT? AN HOUR?

16 MR. PASAHOW: IT TAKES A LITTLE BIT OVER AN HOUR --

17 THE COURT: OKAY.

18 MR. PASAHOW: -- I HOPE.

19 THE COURT: WE'LL SEE YOU AFTER THE RECESS THEN.

20 (RECESS TAKEN AT 9:40 A.M.)

21

22

23

24

25

(CONTINUED ON NEXT PAGE, NOTHING OMITTED)