

UNITED STATES DISTRICT COURT
SOUTHERN DISTRICT OF INDIANA
INDIANAPOLIS DIVISION

IN RE RECOMBINANT DNA TECHNOLOGY)
PATENT AND CONTRACT LITIGATION)
THE REGENTS OF THE UNIVERSITY OF) MDL Docket No. 912
CALIFORNIA)
Plaintiff,)
-vs-) CAUSE NO. IP 92-224-C D/G
ELI LILLY AND COMPANY,) Indianapolis, Indiana
Defendant.) August 24, 1995
Afternoon Session

Before the

HONORABLE S. HUGH DILLIN

TRANSCRIPT OF PROCEEDINGS AT TRIAL

APPEARANCES:

For the Plaintiff: Arthur I. Neustadt
Jean-Paul Lavalleye
Marc R. Labgold
William J. Healey
Amy Levinson
Kevin Bell
Susan B. Tabler

For the Defendant: Donald R. Dunner
Charles E. Lipsey
Amy E. Hamilton
John C. Jenkins
Jeffrey Karceski

Court Reporter: Patricia A. Cline, CM
Antonette Thompson, RPR-CSR

PROCEEDINGS TAKEN BY MACHINE SHORTHAND
COMPUTER-AIDED TRANSCRIPT

1 (Call to order of the Court at 3:55 p.m.)

2 PLAINTIFF'S WITNESS, AXEL ULLRICH, SWORN

3 DIRECT EXAMINATION

4 BY MR. LABGOLD:

5 Q. Dr. Ullrich, could you please state your full name for
6 the record.

7 A. My name is Axel Ullrich.

8 Q. Could you spell your last name for the record.

9 A. U-L-L-R-I-C-H.

10 Q. Would you give your current address.

11 A. You want my German address or my American address?

12 Q. Your German --

13 A. I have two residences. I have a permanent residence in
14 the United States. My address is 70 Palmer Lane, in
15 Portola Valley, California 94028; and my German address is
16 Munich, Adalbertstrasse 108, A-D-A-L-B-E-R-T-S-T-R-A-S-S-E
17 108.

18 Q. Will you please tell us what your current position is?

19 A. My current position in Germany is Director of the
20 Department of Microbiology at the Max-Planck Institute
21 Biochemistry in Martinsried, M-A-R-T-I-N-S-R-I-E-D. This
22 is small town near Munich.

23 Q. And when did you start at the Max-Planck Institute?

24 A. I started in December of 1988.

25 Q. And were you hired as a director?

1 A. I was hired as a director, yes.

2 Q. Are you involved in scientific research?

3 A. I am involved in research in molecular biology and cell
4 biology including research in the area of diabetes and
5 cancer mainly.

6 Q. Have you published your research in scientific
7 journals?

8 A. I have published articles in a variety of international
9 journals, and I have more than 300 publications.

10 Q. Do you have any other current positions?

11 A. I am also Founder and Chief Scientist of Sugem
12 Corporation, which is a publicly held company in
13 California. This company is also involved in translating
14 the research done in my laboratory and that of my partner,
15 Professor Schlessinger of New York University in the area
16 of cancer and diabetes.

17 Q. Do you do any teaching?

18 A. I am mainly teaching by giving lectures, but also by
19 having graduate students in my laboratory, currently more
20 than 20 graduate students.

21 Q. Do you serve on any scientific advisory boards?

22 A. I am scientific advisor for the Wistar Institute, the
23 Hagedorn Research Institute, an institute in Denmark
24 involved in diabetes research. These are the main advisory
25 functions.

1 Q. Do you serve on any editorial boards?

2 A. I'm serving on a number of editorial boards, including
3 that of the European Journal of Molecular Biology, Cancer
4 Research Journal, International Journal in the United
5 States.

6 Q. Have you received any awards for your work in research?

7 A. I have received awards based on my work in the diabetes
8 field from the German Diabetes Association, and in the area
9 of cancer by the French Cancer Society and by American
10 societies.

11 Q. Could you briefly review your education starting with
12 your undergraduate degree?

13 A. I was an undergraduate in Tübingen, the University of
14 Tübingen in Germany. Then I obtained my Ph.D. in Molecular
15 Genetics at the University of Heidelberg. Following that
16 in 1975 I moved to the United States and became a
17 postdoctoral fellow at the University of California in the
18 laboratory of Professor Howard Goodman.

19 Q. Back with your Ph.D., what was the nature of your
20 research that you did on your doctoral thesis?

21 A. The research was concerned with genetic systems, viral
22 systems at that time before the cloning technology was
23 available. Viruses for very suitable systems to study
24 genetics. And I was studying the transcription translation
25 of certain genes on a variety of prokaryotic and eukaryotic

1 virus.

2 Q. Did you receive a postdoctoral fellowship when you went
3 to the University of California?

4 A. Yes. I received a fellowship from the German research
5 organization, the DFG.

6 Q. And was that money used to fund part of your research?

7 A. That funded three years of my research at U.C.S.F.

8 Q. When you went to the University of California at San
9 Francisco, did you specifically go to work in the lab of
10 Dr. Goodman?

11 A. Yes. I went to Dr. Goodman's laboratory who was at
12 that time one of the leading researchers, and I should say
13 a number of laboratories in U.C.S.F. were leading in the
14 area of the newly emerging research in genetics and the
15 recombinant DNA technology, as it's commonly called.

16 Q. What type of research was it that you wanted to perform
17 in going to the University of California, San Francisco?

18 A. I was very interested in the molecular basis of human
19 disease, and I had already initiated work in that direction
20 in the area of diabetes in Germany, and came then to San
21 Francisco and expressed my desire to work on insulin and
22 the isolation of the insulin -- cDNA and the insulin gene.
23 And that was very much in the interest of Dr. Goodman. And
24 because of the environment at U.C.S.F. at that time, it was
25 also a very appropriate project.

1 Q. Why were you interested in working in insulin?

2 A. As I said, at that time the field of recombinant DNA
3 research was in its early stages. And one of the more
4 challenging projects, because of its significance for human
5 disease, was the elucidation of the complete structure of
6 the insulin gene.

7 And there was history to that because in many of the
8 technological advances in the past, insulin was always in
9 the forefront, the first target for that, for new research
10 possibilities. And therefore, I was very interested also
11 to focus on insulin and to continue then in that direction.
12 So it's the possible development of treatments, of
13 alternative treatments for the diabetes disease.

14 Q. Now, I think you have said that you arrived at the
15 University of California, San Francisco, I'll refer to that
16 as U.C.S.F., in 1975?

17 A. Yes.

18 Q. And so at that time in 1975 you were interested in
19 doing insulin directed research?

20 A. I was interested in that. But at that time one has to
21 understand the technology was not that far advanced, and it
22 was a very risky project; so therefore, I was engaged in an
23 alternative for a parallel project related to yeast.

24 Q. You used the term "risky project." What do you mean by
25 the term "a risky project"?

1 A. As I said, the technology that was necessary to clone
2 cDNA, specific cDNA for a specific gene, was not really
3 that highly developed. At that time methods necessary for
4 this type of project at various stages, including the
5 isolation of messenger RNA in an intact form, the reverse
6 transcription of the messenger RNA, the cloning, the
7 insertion into plasmids; all of this was known more or less
8 theoretically and had been carried out in sort of model
9 systems. But the cloning of the cDNA had not been done in
10 the late 1975 period. Then towards the end of 1975, the
11 first cloning of the cDNA was reported, the cloning of
12 rabbit globin gene by the group of Bennhard Mach and
13 collaborators.

14 Q. Now, before we proceed into the nature of the work you
15 actually did, I made a note that you talked about the
16 insulin cDNA and the insulin gene. Could you differentiate
17 between the projects that you worked on in that regard?

18 A. Initially, obviously it was cloning of the cDNA.
19 Isolation of the specific insulin gene proceeded then after
20 these probes were available and were then carried out after
21 I moved also to Genentech at the end of my tenure at
22 U.C.S.F.

23 Q. For the clarity of the Court to clarify the record,
24 what is the difference when you use the term "cDNA" and the
25 term "gene"? Would you explain the difference between

1 those two?

2 A. The cDNA is a copy of the messenger RNA that is found
3 in the cell. The gene is very frequently in a sloppy way
4 also -- or the cDNA is frequently called the cDNA, which is
5 formally not correct because the gene is the stretch of DNA
6 in the genomic DNA. In the nucleus of each cell of our
7 bodies, that contains two copies of all the genetic
8 information, and that compromises our development and life
9 functions. And one stretch of that is that encoding the
10 gene or the protein of insulin or proinsulin or
11 preproinsulin. So that's formally the gene.

12 CDNA is a copy of the transcript of the blueprint of
13 that gene. That is not a perfect copy of that. It's a
14 processed copy because so-called introns have been excised
15 by specific enzymes. And the messenger RNA that results is
16 then in vitro translated using a specific enzyme into the
17 complementary DNA called cDNA.

18 Q. Thank you. We'll get into more detail along the way
19 for clarity of the record.

20 Now, when did you actually begin doing work on the
21 insulin DNA?

22 A. In the fall, late 1975.

23 Q. And what kind of work were you involved in in that
24 regard?

25 A. Initially the problem was isolation of the messenger

1 RNA from the pancreas of an animal that was suitable. And
2 the problem was that the pancreas produces many enzymes,
3 including high levels of so-called RNase, an enzyme that
4 digests RNA. And obviously you want to isolate from the
5 tissue RNA that is intact. When you have plenty of RNase
6 around, that is not very favorable; and therefore, various
7 ways were explored how one could generate intact messenger
8 RNA.

9 One of them was to go to an animal that does not
10 produce much RNAs, and that is the dog. So I spent much
11 time isolating messenger RNA and translating this RNA
12 protein from dogs. These efforts lasted a substantial part
13 of 1976. The same time kind of techniques for reverse
14 transcription of RNA were tested. All these were new
15 techniques that had been carried out before in a few
16 laboratories in the world and had to be established in our
17 laboratory.

18 Q. Was the dog cloning work successful? Were you able to
19 isolate --

20 A. Excuse me?

21 Q. Were you able to isolate insulin genes from the dog?

22 A. No.

23 Q. Do you have any understanding as to why those
24 experiments were not successful?

25 A. In that particular case because of the characteristics

1 of the dog pancreas, which is a solid organ, the sheer
2 number of additional messenger RNAs, in addition to that
3 encoding, the insulin or preproinsulin messenger RNA,
4 diluted this RNA so much that it became at that time
5 essentially impossible to clone the dog cDNA.

6 And therefore, we ultimately decided then in a
7 collaborative effort with members of Bill Rutter's group to
8 first isolate from rat tissues of the islets of Langerhans
9 from rat pancreas. That contained or had been shown to
10 contain high levels of messenger RNA for insulin,
11 preproinsulin.

12 Q. So once you decided that the rat was the animal that
13 you were going to proceed with, you mentioned the islets of
14 Langerhans. Can you describe how this relates to the
15 pancreas?

16 A. The pancreas is an organ that produces -- that has two
17 major functions. There's an exocrine pancreas that
18 produces all the enzymes that digests our food, enzymes
19 that break down proteins in DNA and RNA. And then there is
20 the endocrine pancreas. Anatomically this can be
21 distinguished very nicely. This has been shown that these
22 so-called islets can be identified under the microscope,
23 actually visually even when one isolates the rat pancreas,
24 see these islets, and they have been discovered by
25 Langerhans, a scientist in the last century.

1 And because of the differences in the tissue
2 composition of these, the endocrine and the exocrine
3 pancreas, it is possible to separate these tissues. This
4 technique was worked out and perfected by John Chirgwin and
5 Bill Rutter.

6 Q. Why was it the islets of Langerhans that you were
7 proceeding after?

8 A. Pardon? I didn't understand.

9 Q. Why were you seeking to isolate the islets of
10 Langerhans?

11 A. Because these islets of Langerhans are the cell types
12 in our body that produce insulin. Therefore, the
13 prediction was that they will contain large amounts of
14 messenger RNA for preproinsulin. And by isolating these
15 specific mini organs they would not be diluted or the
16 messenger RNA for preproinsulin would not be diluted by the
17 huge amount of messenger RNA coding for digestive enzymes
18 in the exocrine pancreas.

19 Q. And was one rat pancreas sufficient for your purposes?

20 A. No. It was necessary to sacrifice a large number of
21 rats. As a matter of fact, in a very brute force effort,
22 we isolated islets from 200 rats and successfully isolated
23 the amount that we thought was sufficient to isolate the
24 necessary amount of RNA, subsequently messenger RNA,
25 subsequently cDNA, for our planned cloning experiments.

1 Q. You mentioned the work of John Chirgwin. In what
2 regard, how did that play into this isolation?

3 A. The cooperation that we were engaged in was perfect in
4 that there were expertises in that laboratory relating to
5 the isolation of the islets and isolation of messenger RNA
6 from tissues that contain high levels of RNase. And that's
7 one technique that John Chirgwin had worked on for quite a
8 while and perfected. And I used some of that technique for
9 later experiments for the isolation of RNA from the islets.

10 Q. Have you continued to use that technique that John
11 Chirgwin developed in your subsequent research?

12 A. Well, I combined the technique developed by John
13 Chirgwin with another technique that facilitated the
14 isolation of RNA made with a very straightforward and safe
15 procedure. And this technique is now being used in many
16 laboratories still today, I would say.

17 Q. Now, in your work on the rat, was mRNA isolated?

18 A. From the tissue isolated from these 200 rat pancreases,
19 I was able to isolate 1 milligram approximately of total
20 RNA, including ribosome RNA, and from that about 50
21 micrograms of so-called poly-A plus RNA, which comprises
22 the messenger RNA fraction of the RNA in the cell.

23 Q. And what did you use the RNA for?

24 A. This RNA or fractions of this RNA were initially used
25 for preliminary experiments, analytical experiments, to

1 test the quality and suitability of the RNA for subsequent
2 experiments. And then from about 40 percent, 40, 50
3 percent of the RNA, cDNA was prepared for subsequent
4 cloning experiments.

5 Q. And how was the quality and suitability of your RNA
6 project?

7 A. In this critical experiment I would say everything
8 worked perfectly. The RNA was of high quality. The cDNA
9 generated was expert quality. You can see that from our
10 publication. You can judge that by looking at the size of
11 the cDNA that you generate. And the yield in terms of
12 amount was also very good.

13 Q. Was the cDNA that you prepared, was it sufficient for
14 more than one experiment?

15 A. It was clearly sufficient for more experiments. I used
16 only a part of the cDNA for subsequent steps.

17 Q. And when you say "subsequent steps," what was in the
18 next step that you took with your cDNA?

19 A. Next step was treatment of the cDNA with an enzyme
20 called S1 exonuclease which served to generate as many of
21 the messenger RNA molecules as possible, blunt ended, so
22 they would be suitable for the attachment again in an
23 enzymatic step using an enzyme called ligase, DNA ligase.
24 Using this enzyme to attach synthetically, generate it, DNA
25 linkers to the ends of the cDNA.

1 Q. And we've heard the term "linkers," and I'm sure we'll
2 hear it again. Would you briefly describe what the
3 function of a linker is?

4 A. One critical aspect of cloning on recombinant DNA
5 technology in general was the discovery and
6 characterization, isolation of so-called restriction
7 enzymes; enzymes that specifically cut DNA at certain
8 places; specific sequences where it recognized the specific
9 enzymes and cut in a specific way.

10 And this was possible then, or this knowledge allowed
11 us then to synthesize such recognition sites for enzymes
12 and attach these synthetic linkers to DNA that digested the
13 enzyme, and generate ends of the DNA, of the synthetically
14 generated cDNA now, that would allow us to insert these
15 DNAs into the cloning vectors, the plasmid.

16 Q. Now, were you aware of the existence of the NIH
17 guidelines at that time?

18 A. Yes, of course.

19 Q. And did the cDNA preparation that you described, was
20 that covered by the NIH guidelines?

21 A. No.

22 Q. Did you attempt to follow those guidelines?

23 A. Yes, of course.

24 Q. And did you take subsequent steps which were covered by
25 the NIH guidelines?

1 A. Yes. As soon as DNA is introduced, at that time, was
2 introduced into living organisms, into microbial organisms,
3 at that point the guidelines govern everything that you do.
4 And obviously everybody in the laboratory followed those
5 guidelines.

6 Q. Did you attempt to clone your cDNA?

7 A. Yes, I did.

8 Q. And which vector did you use for that cloning?

9 A. In the first attempt I used the vector pBR322.

10 Q. Did you believe it was okay to use that under the
11 guidelines?

12 A. Yes, I was convinced that it was okay to use this
13 vector because we were, for quite a time, waiting for the
14 approval of that vector for use under the guidelines. And
15 I obtained with all my reagents ready to go to the next
16 step, the cloning step. I obtained a phone call from Mary
17 Betlach, who was a technician in Herb Boyer's laboratory,
18 and she had spoken with him, with Herb Boyer, who was a
19 member of the RAC committee who attended a meeting in Miami
20 where the NIH Recombinant DNA Committee had a session to
21 decide about the suitability of pBR322 for use in cloning
22 experiments. She had told me on the phone that the
23 committee had approved the use of the vector.

24 Q. And have you subsequently learned otherwise?

25 A. No.

1 Q. Why was it Herb Boyer's lab that you were looking to
2 with regard to these vectors?

3 A. Herb Boyer's laboratory was very active in the
4 development of cloning vectors at that time.

5 Q. And did they, in fact, develop this plasmid that you
6 referred as to pBR322?

7 A. They developed this plasmid pBR322 and others.

8 Q. Now, when you heard from Mary Betlach that the plasmid
9 had been approved, what did you do next?

10 A. I went ahead and did the experiment, the cloning
11 experiment, meaning transformation of bacteria, of the
12 appropriate bacteria strain, and selection on the
13 appropriate agar plates, and then subsequently
14 characterization of the clones.

15 Q. Now, when you did the cloning, did you have to do it in
16 a special facility?

17 A. Yes. This had to be done in the so-called P3 facility.

18 Q. Could you briefly describe what a P3 facility is?

19 A. P3 facility is a laboratory that contains all equipment
20 necessary for the execution of such cloning experiments
21 under conditions that would not allow the escape of
22 bacteria from the laboratory. In other words, there was
23 negative pressure in the laboratory and there was an
24 autoclave in the laboratory that would allow us to destroy
25 all bacteria, all living organisms containing potentially

1 dangerous DNA in this laboratory. So no bacteria that were
2 transformed by plasmids containing exogenous DNA could be
3 transferred to the outside world.

4 Q. Was the P3 lab required under the NIH guidelines?

5 A. Yes. For this type of experiment, yes.

6 Q. You do your experiments in that lab?

7 A. Yes.

8 Q. And did you do them in that lab in accordance with the
9 guidelines?

10 A. Excuse me?

11 Q. Did you do them in that lab because of the guidelines?

12 A. Yes.

13 Q. Okay, was there a P3 logbook?

14 A. At that time, these were the first experiments and we
15 didn't have the logbook right at the beginning. This was
16 introduced somewhat later.

17 Q. Now, did you need approval to use this P3 lab?

18 A. Yes.

19 Q. And who was that approval obtained from?

20 A. Well, it was supposed to be the head of the laboratory.
21 And at that time Dr. Goodman was in sabbatical, so I don't
22 recall exactly who gave the approval. But first either the
23 representative of Goodman in the laboratory or a member of
24 the recombinant DNA committee that was first supervising
25 these activities.

1 Q. And did you seek approval for all of your experiments
2 to be done in the P3 lab?

3 A. Yes.

4 Q. Now, did you subsequently learn that pBR322 had not
5 been certified?

6 A. Yes, later I learned -- actually, first from Herb
7 Boyer, if I remember correctly, that the plasmid was
8 approved but not certified, a distinction that I did not
9 really, I was not aware of the bureaucratic procedure that
10 was involved in bringing the plasmid actually to the
11 public, to the general use for scientists. And I have to
12 say I was not the only one who did not understand that, who
13 was not aware of this very all-important distinction.

14 Q. You did wait until the plasmid was approved before
15 proceeding?

16 A. Yes.

17 Q. Now, you subsequently learned that the plasmid was not
18 certified?

19 A. That's right.

20 Q. How did you come to learn that it was not certified?

21 A. It was after the conference that, in Utah, that I
22 attended, Miami that Howard attended. We obtained a phone
23 call from John Shine who told us that one of the clones,
24 definitely of the ones that I had obtained, contained
25 plasmid including cDNA that encoded part of preproinsulin.

1 We were very excited about that. And then after
2 returning from that class which, I think, coincided with
3 Herb Boyers' return from Miami and things sort of came
4 together. My excitement and my report to my colleagues
5 that my experiments had been successful.

6 And I assume also John Shine's reports to others raised
7 that issue. Herb Boyer heard about this and told us then
8 that yeah, it's approved but not certified, so we may have
9 a problem here.

10 Q. Now John Shine you mentioned, what was his role in
11 these experiments?

12 A. John Shine was the one in the laboratory who had
13 established and worked out the DNA sequencing procedure
14 which had been developed in the laboratory of Professor
15 Gilbert, and was at that time still in its infancy and not
16 used in too many laboratories in the world so he was the
17 one who sequenced the clone DNA.

18 Q. When you say he was the one?

19 A. He.

20 Q. Who was the "he"?

21 A. John Shine.

22 Q. Was he proficient in DNA sequencing?

23 A. He was an expert at that time as much as I was able to
24 judge.

25 Q. Was there anybody else around who was a better

1 sequencer than John Shine?

2 A. No.

3 Q. Okay. After it was determined the cloning had
4 proceeded in an approved but uncertified vector, what steps
5 were taken next?

6 A. There were meetings and discussions of -- very intense
7 discussions -- and ultimately, many of the things that were
8 going on that Howard Goodman and Bill Rutter were involved
9 in as heads of the laboratory and the department
10 respectively, I was not really fully familiar with and
11 aware of. But eventually the decision was made to destroy
12 the clones that were obtained in that experiment.

13 Q. And what did you do with the clones?

14 A. One morning I came in the lab and took my clones and
15 put all the tubes into a beaker and poured acid on them
16 which leads to the destruction of DNA.

17 Q. Did you keep any of the biological materials which
18 were --

19 A. No.

20 Q. -- derived from pBR322?

21 A. No.

22 Q. So all of the fruits of your experiments, they were put
23 to what end?

24 A. Yes.

25 Q. You destroyed them?

1 A. They were destroyed, yes.

2 Q. Okay. What was -- what did you do next?

3 A. Well, again, as a result of further discussions, we
4 decided to use the material that we still had, the cDNA
5 that I knew was of high quality. And there was also still
6 RNA left. And wait or use alternatives for the cloning,
7 including plasmids that were approved. For example, pCR1
8 at that time, or wait for approval of another plasmid that
9 would be probably more practical and more promising than
10 pCR1 is.

11 Q. And I believe you had referred to earlier that there
12 was still cDNA from the original preparation?

13 A. That's correct, yes.

14 Q. And this was the cDNA which you were going proceed
15 with?

16 A. Yes.

17 Q. Now, then that cDNA was that prepared in accordance
18 with the NIH guidelines?

19 A. Yes.

20 Q. I think you may have said the NIH guidelines, did they
21 govern the preparation of that cDNA?

22 A. No, of course not.

23 Q. So did a plasmid, suitable plasmid, for your needs
24 become available?

25 A. Eventually the plasmid pMB9 became certified and pMB9

1 is a sister plasmid of pBR322, already the name says pMB.
2 "MB" stands for Mary Betlach, who is the technician who
3 gave me the information about the approval of pBR322. So
4 she had or she was a key person developing this plasmid.

5 The other plasmid, pBR322, is named after two
6 post-docs, Bolivar and Rodriguez, also in Herb Boyer's lab.

7 So there were related plasmids that used the same or
8 partly the same genetic elements from other plasmids that
9 were put together and tailored to sort of usable size and
10 with very advantageous characteristics.

11 Q. Now, did you proceed to clone with pMB9?

12 A. Yes, we decided to use pMB9 and essentially the cDNA
13 that was still available was still of good quality, was
14 modified with linkers as before, and used in a perfectly
15 analogous experiment as with pBR322. And that experiment,
16 that cloning experiment was also successful.

17 Q. Did you wait to clone pMB9 until it was approved and
18 certified?

19 A. Yes, until after it was certified.

20 Q. Now, did you have any contact with the NIH?

21 A. I recall that I, during the waiting period after the
22 pBR322 information had reached us that it was not
23 certified, we were all very anxious and especially I was
24 eager to continue with my experiments. And I called at one
25 time the office of Dr. Gartland who is the head of the

1 recombinant DNA committee of the NIH and inquired about the
2 status of approval and certification for the plasmids of
3 322 and pMB9.

4 Q. Were your pMB9 experiments successful?

5 A. Yes.

6 Q. And what were the, what further experimentation were
7 the pMB9 clones subjected to?

8 A. The standard experiments followed. The isolation of
9 plasmids and characterization of the plasmids. At that
10 time, we were very much, you know, in a hurry to get these
11 experiments done very quickly because we were, we had been
12 delayed by this unfortunate misunderstanding before.

13 And at that time John Shine was putting all his effort
14 into characterizing these plasmids that were obtained, the
15 clones that were obtained in my cloning experiments.

16 Q. Now, at this time and in the preceding periods, could
17 you describe briefly what your working habits were, type of
18 hours you kept, et cetera?

19 A. Well, my working habits were rather intense and
20 sometimes erratic. I lived very close to the University,
21 about one block away from U.C.S.F., Parnassus Avenue and
22 because of the intensity of the situation, I frequently
23 woke up at midnight and went to work at 2 o'clock or things
24 like that.

25 But this was not only at that time. I still have these

1 habits now. So this is more not so much circumstantial,
2 but personal characteristics. So I worked at all times of
3 the day and night.

4 Q. Were there other in the lab who kept similar types of
5 hours?

6 A. Oh, yeah, at that time Peter Seeburg and John Shine and
7 others occasionally work also at strange hours. Definitely
8 in the department there was almost around the clock, always
9 somebody somewhere.

10 Q. You never closed. Now did, were the cloning
11 experiments successful?

12 A. Yes.

13 Q. And you isolated DNA which encoded --

14 A. Plasmids with inserts, actually the experiment with
15 pMB9, I used different fraction cDNAs that were treated in
16 various ways and some preparations, the yield of clones was
17 even better than with pBR322.

18 Q. Who did the sequencing on those experiments?

19 A. John Shine did the sequencing.

20 Q. Was there anybody else who assisted John in the
21 sequencing experiments that you know of?

22 A. Not that I recall at this time.

23 Q. Did you publish the work in a scientific journal?

24 A. Yes, the work was published in the Journal of Science.

25 Q. And I'd like to refer to what we have marked as

1 Plaintiff's Exhibit 98. And it will be in that black book
2 that's in front of you there. Just to confirm, is this the
3 report of your work?

4 A. Yes.

5 Q. Was this work reported here based on pMB9?

6 A. Yes.

7 Q. Was the experimental data that you reported here based
8 on pMB9?

9 A. The data that I generated, yes. And the sequences were
10 generated by John Shine. So, yes, referred to him.

11 Q. Did you also file a patent application based on your
12 pMB9 experimentation?

13 A. The University of California filed the patent
14 application.

15 Q. And I'd like to refer you to the next exhibit which is
16 PX2, we have come to know as the '525 patent. Is that
17 patent the patent based on your pMB9 experiments?

18 A. That's what I understand.

19 Q. Now, were there any people or research groups who were
20 interested in your rat proinsulin cDNA clones?

21 A. Yes. This work generated a lot of interest. Not only
22 by scientists, but also by the general public because it
23 was recognized that this was the first step towards the
24 development of a new way of treating diabetics and made
25 that first critical step towards the isolation of

1 corresponding human sequences and the production of human
2 insulin in bacteria.

3 Among these, among those that were interested were a
4 number of pharmaceutical companies that were active in the
5 insulin and diabetes field. This included Eli Lilly, but
6 also others. For example, the German company Hoechst.

7 Q. Now, were you aware of a collaboration which developed
8 with Eli Lilly & Company?

9 A. Excuse me?

10 Q. Were you aware of a collaboration which developed with
11 Eli Lilly & Company?

12 A. That collaboration developed, yes, subsequent to the
13 successful experiments.

14 Q. And did you have an understanding as to whether Eli
15 Lilly & Company was interested in those rat clones?

16 A. Oh, definitely. They were very interested and the
17 clones were made available to Eli Lilly as far as I
18 understand.

19 Q. Why didn't you work on humans at that point in time?

20 A. At that time, the NIH guidelines did not allow
21 experimentation with genetic material isolated from human
22 tissues or cells except in high-security facilities that
23 were called P4.

24 In the United States, as far as I remember, there was
25 only one such facility, therefore, it was essentially

1 impossible to do the experiments with human material.

2 Q. Why didn't you just clone the human cDNA in a P3
3 facility?

4 A. That would have been against the NIH guidelines.

5 Q. Did you, during the collaboration with Lilly, did you
6 have any personal involvement with any of the individuals
7 at Eli Lilly?

8 A. Later on in 19, late 1977 and then especially in 1978,
9 yes.

10 Q. What happened in 1978?

11 A. In 1978 after the collaboration with Eli Lilly was
12 formally established, the next goal was obviously to clone
13 the human preproinsulin cDNA. And as I just said, the NIH
14 guidelines did not allow to do this under P3 containment
15 conditions. And therefore, possibilities to do these
16 experiments nevertheless were discussed and lead to the
17 establishment of a P3-like laboratory in France within the
18 facilities of a Eli Lilly-owned subsidiary or actually
19 plant of Lilly where normally only perfume was produced in
20 France near Strassburg.

21 Q. Whose idea was it to use this Lilly facility for this
22 purpose?

23 A. I don't know whose idea that was.

24 Q. And why was it France that this facility was going to
25 be?

1 A. The guidelines in France that governed recombinant DNA
2 research were somewhat more relaxed at that time than those
3 in the United States. They allowed experimentation with
4 human material in facilities that were essentially
5 equivalent to our P3 facilities and therefore could be
6 built within a relatively short period.

7 I think it took Eli Lilly to build this laboratory in
8 France only about three months. The equipment and the
9 whole set up was essentially the same as our P3 facility in
10 San Francisco, but it allowed us to do experiments which we
11 were at that time not allowed to do in the United States.

12 Q. And the purpose of these experiments was to achieve?

13 A. To achieve the goal of cloning human sequences and
14 coding preproinsulin before this was possible in the United
15 States. But the ultimate goal was to, as quickly as
16 possible, get the sequences and make them available for the
17 development of bacteria producing insulin by Eli Lilly.

18 Q. Did you know why Eli Lilly wanted to produce insulin by
19 recombinant means?

20 MR. LIPSEY: Objection to the form, hearsay.

21 Calls for --

22 A. It was an obvious --

23 THE COURT: Overruled. Go ahead.

24 A. The reason is pretty obvious. The insulin market in
25 the world is divided among, essentially, among three large

1 companies or two major. It's a Danish company at that time
2 Novo, now Novo Nordisk, and Eli Lilly & Company and getting
3 to the goal to have bacteria, genetically engineered
4 bacteria organisms, that produce insulin or proinsulin
5 would be a major competitive advantage because the sources
6 that were traditionally used for the isolation of insulin
7 essential for the treatment of diabetes, namely the
8 pancreas tissues from cattle and from pigs, were slowly
9 diminishing.

10 There were predictions that these sources would
11 disappear or at least would not be sufficient to fulfill
12 the needs for these people who suffer this disease. But of
13 course, also the possibility to produce human insulin
14 rather than cattle or pig insulin was a major, was
15 considered to be a major competitive advantage. And
16 therefore, Eli Lilly was very interested in using all
17 possibilities to get to that goal as quickly as possible.

18 Q. And did you have an understanding that Lilly wanted a
19 proinsulin DNA?

20 A. They were very interested in our work and our work was
21 aimed at the isolation of human proinsulin, cDNA.

22 Q. Did you go to France?

23 A. Excuse me?

24 Q. Did you go to France?

25 A. I went to France, yes.

1 Q. Did you work in the Lilly facility?

2 A. Yes, during the second half of 1978, from August on
3 essentially until late October, I spent several time
4 periods working in that laboratory and carrying out cloning
5 experiments that were analogous to those I had varied are
6 carried out in San Francisco on rat material.

7 Q. Was anybody with you in France?

8 A. Yeah, the guidelines in France specified that
9 experiments could not be carried out by one researcher
10 alone. There had to be a witness present that had also to
11 countersign the logbook that was necessary in that
12 laboratory. And from the very beginning my first partner
13 if I may say so was an employee of Eli Lilly, Dr. Paul
14 Burnett.

15 Q. Did he aid you in any of these experiments?

16 A. Not really. He was just watching me; he was present.
17 This was not only for control reasons, but also for safety
18 reasons, just in case that I faint and something happens
19 that would require assistance. So he was there and he
20 countersigned the entries in the logbook and in my notebook
21 at that time.

22 Q. Were there any other Lilly employees that were there
23 during the time you were?

24 A. Yeah, I think somebody named Dr. John Sharp was also
25 later on present.

1 Q. Did you understand at the time whether these people
2 were employees of Eli Lilly & Company?

3 A. Yes, I knew that they were employees.

4 Q. Now, were you successful in cloning human insulin?

5 A. I was successful in obtaining clones from a human
6 insulinoma, messenger RNA, cDNA from that. And several
7 clones were characterized and demonstrated later on in San
8 Francisco to contain, represent partial sequences of human
9 preproinsulin.

10 Unfortunately, at that time I had decided to leave
11 U.C.S.F. and accept the position at Genentech. And
12 therefore, I left U.C.S.F. before the work with my clones
13 was completed.

14 Q. Did you pursue insulin cloning after you went to
15 Genentech?

16 A. Yes. Then after I had joined Genentech in 1979, one of
17 my projects was also the cloning of human, full-length cDNA
18 and coding.

19 Q. Now, were you aware of any experiments regarding
20 hamster insulin cloning?

21 A. Yes, at that time, it was already after the rat
22 experiments were successful, and our collaboration with
23 Lilly had been established. John Sharp, in Paul Burnett's
24 laboratory was engaged in experiments attempting to clone
25 the cDNA coding hamster insulin with the goal in mind to

1 modify that cDNA to generate human insulin, sequences -- I
2 mean sequences encoding human insulin.

3 Q. So you assisted him in that cloning?

4 A. He had serious problems and I assisted him and later on
5 also carried out experiments in France with cDNA generated
6 from hamster material.

7 Q. Now just to have you review this couple documents which
8 we've marked as Plaintiff's Exhibit 152, do you recognize
9 the handwriting on that document?

10 A. Yes. This is my handwriting.

11 Q. Do you have an understanding as to who the "John"
12 referred to here is?

13 A. Yes, this was John Sharp.

14 Q. Did this relate in any way to the experiments that were
15 being done in France?

16 A. Yes. It is obvious that this is a letter I wrote to
17 John who was at that time in France and I asked him to,
18 because he was a scientist and more engaged in laboratory
19 work than Paul Burnett, he was able to assist me in some of
20 my experiments there.

21 Q. If I could have you look briefly at Plaintiff's Exhibit
22 153, and do you recognize that document?

23 A. Yes, this is my handwriting.

24 Q. And do you have an understanding as to who the "John"
25 reference there is?

1 A. This is, without any doubt, John Sharp.

2 Q. And did this also relate to any work that you did in
3 France at the Lilly facility?

4 A. Yes, it is obvious that this is related to that work.

5 Q. I would like to offer into evidence Plaintiff's Exhibit
6 152, Plaintiff's Exhibit 153, and also Plaintiff's Exhibit
7 544 which we haven't reviewed. That's Dr. Ullrich's CV.

8 MR. LIPSEY: No objection, Your Honor.

9 THE COURT: The three exhibits mentioned will each
10 be received in evidence.

11 (Plaintiff's Exhibit(s) 152, 153, 154 received in
12 evidence.)

13 MR. NEUSTADT: Thank you, I have no further
14 questions.

15 MR. LIPSEY: Your Honor, may I proceed?

16 THE COURT: Yes, go ahead.

17 CROSS-EXAMINATION

18 BY MR. LIPSEY:

19 Q. As a preliminary matter, Dr. Ullrich, Plaintiff's
20 Exhibit 153 you just said related to work that you did in
21 France; is that right?

22 A. That's what I believe, yes.

23 Q. And that work you said was done in 1978, right?

24 A. Yeah.

25 Q. And this letter has a date on the bottom March 16,

1 1979; is that right?

2 A. That's not my handwriting and it's impossible that -- I
3 was not engaged in this kind of work in 1979, at least not
4 in connection with anybody named John.

5 Q. There are a couple of preliminary matters and I
6 apologize if I repeat. You are employed by the Max-Planck
7 Institute in Munich right now, is that right?

8 A. That's correct.

9 Q. And you had previously been employed by Genentech from
10 about January 1, 1979, to about June 30, 1988; is that
11 right?

12 A. That's correct.

13 Q. And you had been employed at the University of
14 California from approximately October of 1975 until January
15 of 1979; is that right?

16 A. It depends how you define "employment."

17 Q. You were working there, right?

18 A. I was working there. I had a fellowship from the
19 German government for a large part of that time. And I
20 was, obviously, still a German citizen.

21 Q. I hate to do this to you at six minutes to five, but
22 I'm going to give you a big book. Unless, I am happy to
23 start it, if the Court would like, I am happy to --

24 THE COURT: Well, if you're unhappy to give that
25 to him, why don't we wait and give it to him in the

1 morning?

2 MR. LIPSEY: Sounds fine to me, Your Honor.

3 THE COURT: All right. We'll be in adjourned
4 until 9 o'clock tomorrow morning.

5 (Court adjourned at 4:55 p.m.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF PLAINTIFF'S EXHIBITS

EXHIBIT NOS.	RECEIVED	NOT RECEIVED
287	613	
5	620	
537B, 537C, 537D, 537E, 537F, 537G	633	
537A, 537H through 537P	645	
434	667	
78 (pages 03-119 through 03-122)	674	
328	760	
152, 153, 154	815	

INDEX OF DEFENDANT'S EXHIBITS

EXHIBIT NOS.	RECEIVED	NOT RECEIVED
3205A	613	
3065A, 3037A, 3087	615	
3126	667	
3124, 3015, 3140, 3139, 3142, 3143, 3144 ..	744	
3509	775	
3280	777	
3757	779	

INDEX OF EXAMINATION

PLAINTIFF'S WITNESSES	DX	CX	RD	RC	RDC	RCC
JOHN H. RICHARDS	615	678	744	747		
KATE H. MURASHIGE	748	759	779	781		
AXEL ULLRICH	784	815				